



Deposition of:
Norman Spack , M.D.

August 1, 2019

In the Matter of:
**Vazzo, Robert L, et al. v. City of
Tampa, Florida**

Veritext Legal Solutions
800.808.4958 | calendar-dmv@veritext.com |

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES DISTRICT COURT
MIDDLE DISTRICT OF FLORIDA
TAMPA DIVISION
Case No.: 8:17-cv-2896-T-02AAS
ROBERT L. VAZZO, LMFT, etc.,
et al.
Plaintiffs
vs.
CITY OF TAMPA, FLORIDA
Defendant

D E P O S I T I O N
o f
NORMAN SPACK, M.D.
taken on behalf of Plaintiffs

DATE: August 1, 2019
TIME: 11:00 a.m. to 4:13 p.m.
PLACE: Burr & Forman, LLP
201 N. Franklin Street, Suite 3200
Tampa, Florida 33602
BEFORE: Dawn A. Hillier, RMR, CRR, CLR
Notary Public - State of
Florida, at Large

1 APPEARANCES :

2

3 ATTORNEYS FOR PLAINTIFFS

4 ROGER K. GANNAM, ESQUIRE
5 HORATIO G. MIHET, ESQUIRE
6 LIBERTY COUNSEL
7 PO Box 540774
8 Orlando, Florida 32854
9 407.875.1776
10 rgannam@lc.org

8

9 ATTORNEY FOR DEFENDANT

10 ROBERT V. WILLIAMS, ESQUIRE
11 BURR & FORMAN, LLP
12 One Tampa City Center
13 201 North Franklin Street, Suite 3200
14 Tampa, Florida 33602
15 813.367.5751
16 rwilliams@burr.com

14

15 ALSO PRESENT:

15

16 Shannon Minter
17 Dr. Bernard Hudson (via telephone)

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

PAGE

WITNESS - NORMAN SPACK, M.D.	
DIRECT EXAMINATION BY MR. GANNAM	5
CROSS EXAMINATION BY MR. WILLIAMS	136
CERTIFICATE OF OATH	145
REPORTER'S CERTIFICATE	146

EXHIBITS

MARKED

Exhibit 36 Declaration of Norman Spack, M.D.	46
Exhibit 37 Standards of care for the health of transsexual, transgender, and gender-nonconforming people	77
Exhibit 38 American Psychiatric Association document, a guide for working with transgender and gender-nonconforming patients	92
Exhibit 39 Management of transgenderism	95
Exhibit 40 Serving transgender youth, challenges, dilemmas, and clinical examples	97
Exhibit 41 Practice parameter on gay, lesbian, or bisexual sexual orientation, gender nonconformity, and gender discordance in children and adolescence	113
Premarked Exhibit 33	115

(Exhibits retained by counsel.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

REPORTER'S KEY TO PUNCTUATION:

-- At end of question or answer references
interruption.

... References a trail-off by the speaker.

No testimony omitted.

"Uh-huh" "Um-hum" References affirmative sound.

"Huh-uh" "Um-um" References negative sound.

1 NORMAN SPACK, M.D.
2 was called as a witness and having first been duly
3 sworn, responding "I do," was examined and testified as
4 follows:

5 COURT REPORTER: Thank you.

6 DIRECT EXAMINATION

7 BY MR. GANNAM:

8 Q Good morning, Dr. Spack. My name is Roger
9 Gannam and I'm an attorney for the plaintiffs in the
10 lawsuit against the City of Tampa regarding its ban on
11 conversion therapy as its local ordinance. And we're
12 here to take your deposition today in the capacity as a
13 an expert witness for the City of Tampa. Am I correct
14 so far?

15 A Correct.

16 Q Great. I want to go through a few ground
17 rules and then we'll get into it. Have you had your
18 deposition taken before?

19 A Yes, actually.

20 Q Okay. Some of this may sound familiar, then.
21 But we're going to be taking down everything
22 stenographically today, every word. So it's important
23 that all of your answers be out loud or verbal. Is that
24 okay?

25 A Sure.

1 Q Great. Our normal tendency in conversation is
2 to use, you know, head gestures or hand gestures. But
3 none of that will show up on the transcript and that's
4 why we ask for verbal responses.

5 It's also important for the sake of the
6 transcript that we only speak one at a time. So I will
7 do my best to wait until you're done talking before I
8 ask my next question. And I would just ask that you
9 also wait until I'm done before answering. Is that
10 okay?

11 A Fine.

12 And where is the sound input so I know where
13 to look.

14 Q Well, you have two. The phone, I think, will
15 pick it up and from any direction. And our court
16 reporter, are you using audio today as well?

17 COURT REPORTER: Just me.

18 BY MR. GANNAM:

19 Q Okay. So the best thing to do is just respond
20 to me and if our court reporter has any trouble picking
21 you up, she'll let us know.

22 If I ask any question that you do not
23 understand, just please let me know what's wrong with
24 the question and I'll address it. But if I ask a
25 question and you answer it, I will assume that you both

1 heard me and understood me. Is that okay?

2 A Sure.

3 Q We will try to be respectful of everyone's
4 time and get you out of here in time for your flight.
5 However, this is not an endurance contest. And if you
6 need to take a break, that's certainly appropriate.
7 Just let me know.

8 I would ask that if there is a question
9 pending, that you answer the question before asking for
10 a break. Is that okay?

11 A Fine.

12 Q All right. Currently, are you under any
13 medical condition or disability that would affect your
14 capacity to testify truthfully today?

15 A No.

16 Q Are you currently taking any medication that
17 could affect your capacity to testify today?

18 A No.

19 Q And if this case should go to trial sometime
20 next year, are you aware of any situation or condition
21 that would prevent you from being able to testify at
22 trial?

23 A No.

24 Q Okay. Great.

25 Any questions for me before we go further?

1 A No.

2 Q All right. Please state your name for the
3 record.

4 A Norman Spack.

5 Q And Dr. Spack, your address?

6 A 474 Revere, R-e-v-e-r-e, Beach Boulevard,
7 Apartment 1003, Revere, Mass, 02151.

8 Q All right. Are you currently employed?

9 A No.

10 Q All right. Do you -- are you retired?

11 A Yes.

12 Q Okay. As of when?

13 A Two years ago.

14 Q What was your last employment position?

15 A I was a senior pediatric endocrinologist and
16 founding -- founder and director of the Gender
17 Management Service for the endocrine division at --

18 Q Go ahead.

19 A -- Boston Children's Hospital.

20 Q Okay. And that employment ended about two
21 years ago when you retired?

22 A Yeah.

23 Q And since you retired, have you done any kind
24 of consulting or other work for pay or remuneration of
25 any kind?

1 A Not directly from Children's Hospital. But I
2 voluntarily go in to consult on problematic cases.

3 Q And are you compensated for that?

4 A No.

5 Q All right. So have you done any kind of
6 compensated work since your retirement two years ago?

7 A A fair bit.

8 Q Okay. What kind of work?

9 A It's all visiting professorships at pediatric
10 medical centers.

11 Q Do you currently hold any professorships?

12 A My professorship will never -- it stays with
13 me until I die. So I'm an associate clinical professor
14 of pediatrics at Harvard Medical School.

15 Q Anywhere else? Other institutions?

16 A No.

17 Q What was the last course you taught?

18 A The last course I taught was a major seminar
19 to the second-year Harvard medical students on gender
20 dysphoria in a young adolescent.

21 Q And when was that?

22 A That would have been about March -- they're
23 usually in March -- 2017.

24 Q Was that before or after your retirement?

25 A It was really just about as I was -- the

1 academic year ends on July 30th, so by July 30th that
2 year, I was retired.

3 Q Any other compensated work besides that
4 March 2017 seminar -- or I should say since that
5 March 2017 seminar?

6 A Well, if you consider the visiting
7 professorships.

8 Q Okay.

9 A They're all compensated. And I've probably
10 done about -- since I retired, probably done around
11 five.

12 Q Can you just kind of tell me what that
13 consists of? You said you've done five.

14 A I can tell you a good one from proximity.
15 Last year, I did a two-day professorship right at All
16 Saints (sic) Children's Hospital in St. Petersburg.

17 That would usually -- it always consists of a
18 meeting the night before over dinner with members of the
19 pediatric endocrine department followed by giving a
20 major lecture on the subject of transgenderism in
21 children and adolescents; and following that, a more
22 informal case discussion, cases brought up by the
23 residents and interns that follows the major talk.

24 Q So the five visiting professorships you've
25 engaged in since you retired typically follow that

1 similar pattern?

2 A I would say so.

3 Q Okay. All right. Anything else then besides
4 the visiting professorship, as far as compensated work
5 that you've performed?

6 A No. I would say no because other work that
7 I've done related to the field is co-authoring articles
8 for publication and major journals. And there was one
9 non-compensated work, with probably a fairly big legacy.
10 I was able to win a \$6 million grant from the National
11 Institute of Health for a four-institution collaborative
12 study of -- longitudinal study of transgender youth.
13 And that was a -- it included ourselves, the Children's
14 Hospital in Chicago, the Children's Hospital Los
15 Angeles, and the Children's Hospital at University of
16 California San Francisco.

17 And it was just beginning to take off and
18 enroll patients as I was retiring.

19 And none of us were -- of all the people
20 involved, the only ones who were likely to be partly
21 subsidized in their work were the research psychologists
22 and some of the other number-crunching researchers.

23 Q So would it be fair to summarize your
24 involvement in that project as participating in
25 obtaining the grant, but not doing the work the grant is

1 funding?

2 A That's exactly right.

3 Q And what is the subject -- or more specific
4 subject of that longitudinal study for which that grant
5 was given?

6 A The patients who were enrolled in that study
7 were similar to the patients in age and qualitative
8 issues related to the gender identity as the patients
9 that the Dutch had used ten years before.

10 So the idea was to see if we had comparable
11 results to their -- I should have to say, phenomenal
12 results over the 22 years of age that they have followed
13 their patients.

14 Q And generally speaking, what were the results
15 of the Dutch study that you were trying to analyze or
16 match or compare to?

17 A They studied 55 males and 55 genetic females
18 who were living in the opposite gender. They were
19 subjected to pubertal suppression and cross-gender
20 hormones at approximately age 15, any surgeries were
21 obtained -- that were done by age 18. They had been
22 tested psychologically at the beginning of the study
23 with specific attention to gender identity.

24 They were studied, again, at the midpoint when
25 they were about to get the hormones of the opposite sex.

1 They were studied again before they would be considered
2 eligible for surgery.

3 But the critical thing that was done that was
4 published was they were studied at age 22 to 24, so four
5 to six years after the last formal treatment. Obviously
6 they were continuing on hormonal treatment.

7 The stunning result that they showed -- and
8 Holland is a different place from us. They showed
9 that -- and it was published in The Journal of
10 Pediatrics by de Vries, d-e, capital V, as in Victor,
11 r-i-e-s. De Vries, et al. They showed that at the end
12 of the game, the Dutch patients not only were
13 psychosocially normal but their results were better than
14 a cohort of age-match controlled patients.

15 Q So that's the Dutch study?

16 A Right.

17 Q And did you complete the longitudinal study --
18 or was the longitudinal study for which the six million
19 NIH grant was given completed?

20 A Hardly.

21 Q Okay. Tell me about the process of that
22 study.

23 A Well, it meant that every -- the goal was for
24 every one of the four centers to enroll a hundred
25 patients.

1 Q Okay.

2 A Okay? And to enroll them over the course of a
3 year, maybe take two years to enroll them, and then
4 follow them for -- it would probably be -- they'd start
5 at the very beginning of puberty, and then -- so it
6 would be a six- to eight-year follow-up.

7 When the NIH does this, they do it with the
8 understanding -- and this is a great benefit to the
9 researchers -- that you can keep the patients who fund
10 you to do so.

11 Q So the -- from the inception of the study
12 funded by the NIH grant to its completion, how long a
13 period of time are we talking?

14 A Well, to do -- it could really be -- to get to
15 the same point that the Dutch were at -- remember, they
16 were at two to four years post 18. So they were 20 to
17 22. And our patients, to get to that point, would have
18 to be almost ten years from eight to 12.

19 You understand that a person who is
20 biologically female is more in a pubertal status of
21 starting on average between age ten to 12, whereas natal
22 or genetic males are more likely to be 12 to 14.

23 Q So that particular study that the \$6 million
24 NIH grant went to, we're years away from completing
25 that?

1 A Right. And that amount of money is being
2 divided over four institutions.

3 Q Okay. Very good.

4 All right. So apart from that -- the visiting
5 professorships you identified, your participation on the
6 project that won the \$6 million NIH grant, what other
7 work have you done, even without compensation, since
8 your retirement two years ago?

9 A Since I trained my -- since I trained many of
10 the people around the country who serve as directors of
11 programs like ours, I get a lot of phone calls. And I
12 also get questions based on my experience from my
13 successors at Children's Hospital.

14 Q And have you done any other writing besides
15 the -- for example, the grant application?

16 A Yes. But nowadays, I do it selectively. So I
17 let my -- I let my trainees take the first officership
18 and I take the editing.

19 It suits their careers to be first office.

20 Q Has anything been published that you are
21 author of or co-author of since you retired?

22 A Yes. There was just one review article on the
23 treatment of transgender youth. And that was by Shumer,
24 S-h-u-m-e-r, and I.

25 Q Do you know what publication that appeared in?

1 A I forgot.

2 Q And do you know the year or month and year?

3 A I believe that was published in this year.

4 Q In 2019?

5 A Yeah. Earlier in the year.

6 Q Okay. Do you currently do any work for any
7 non-profit or charitable organizations, either in a
8 formal relationship or in an informal relationship?

9 A Yes.

10 Q Tell me about that.

11 A In a sense, it's actually how I got my start
12 seeing the very first transgender patients that I ever
13 saw.

14 When I was training in adolescent medicines
15 from 1974, and then through my faculty status for at
16 least a decade, I used to -- I used to work for a
17 marvelous organization run by a wonderful nun named --
18 the organization is still thriving. It's called Bridge
19 Over Troubled Waters. And they ran a very elaborate van
20 set up to actually have a full medical treatment room.
21 And we would go travel around the city of Boston, not
22 far from where you were eating because the Boston Common
23 was one place we went.

24 We went to Harvard Square. And we sometimes
25 went to what they call the Combat Zone.

1 But this is a organization that -- the van was
2 a place to look at things medically. But in point of
3 fact, the major function of the van was to be a source
4 of information to the street kids.

5 The organization recognized that if they -- if
6 a kid came off the -- a bus, came off the train, or
7 whatever, and was looking for a place to stay, had no
8 home or whatever, running away from home, we had X
9 number of hours to latch on to that kid and be sure they
10 don't fall in more evil hands.

11 And so I've been on the board of the
12 organization. They run a homeless shelter. They run a
13 program for single mothers. They recognize -- this is
14 interesting -- they recognized that a lot of kids
15 wouldn't go for jobs because they were too embarrassed
16 by their teeth or lack thereof. And they set up an
17 entire dental clinic from Tufts dental school.

18 And they were doing every kind of dentistry.

19 Q Are you still on that board of that
20 organization?

21 A No. I dropped off the board, but I maintain
22 my contacts.

23 Q Do you volunteer for them or still provide any
24 assistance to them?

25 A I provide medical advice to them, on the

1 larger picture.

2 Q And did this organization cater to or
3 specialize in services to children who identified as
4 LGBT or was this open to all kids that it encountered on
5 the street?

6 A It was open to any kid who was on the streets.
7 But I discovered, from talking to the people who do the
8 demographics, that there was an overrepresentation of
9 LGBT kids. And they were, as they told me, not
10 run-aways, but they were throw-aways.

11 Q Meaning?

12 A That they were thrown out of their home
13 because of the sexual orientation.

14 Q Any other organizations that you currently do
15 work -- or that you currently work with besides Bridge
16 Over Troubled Waters?

17 A There's an organization -- actually
18 interesting, it's called Keshet, which is Hebrew for
19 rainbow.

20 Q How do you spell Keshet?

21 A Keshet is K-e-s-h-e-t.

22 Q Oh, Keshet.

23 A And it's interesting that the Jewish
24 federation in Boston has a division devoted specifically
25 for kids who are, in the rainbow sense, LGBT. And I

1 advise them. I do a lot of local speaking gratis for
2 schools and religious organizations.

3 Q Do you do any work either formally or
4 informally for the NCLR or National Center for Lesbian
5 Rights?

6 A No.

7 Q Have you ever?

8 A No. I have done -- in fact, I will soon, in a
9 couple months, do the second major talk for Parents and
10 Friends of Gays and Lesbians, which is a national
11 organization. And I'll be doing a plenary address for
12 them in September in Asheville.

13 Q In Asheville?

14 A Yes.

15 Q And have you spoken for that organization
16 before?

17 A A long time ago.

18 Q Okay.

19 What about, have you ever done any work with
20 or for an organization called Equality Florida?

21 A No.

22 Q What about The Trevor Project?

23 A No.

24 Q And Southern Poverty Law Center?

25 A No.

1 Q Have you ever testified as an expert witness
2 before, either in written testimony or declaration or in
3 a deposition or a trial or hearing?

4 A Yes.

5 Q How many times before?

6 A I think there was -- one particular case comes
7 to mind. An it was not in the realm of LGBT.

8 Q Okay. And when was that case?

9 A I would say that it was probably 1970s. And I
10 remember it vividly.

11 Q Where was it geographically?

12 A It was in Boston at the Boston family court.
13 And it was a case of a care and protection for a
14 12-year-old diabetic young man who family neglect was
15 causing him to be repeatedly admitted.

16 Q And since then, have you done any kind of
17 expert testimony in court?

18 A No.

19 Q All right. I want to talk about your
20 engagement as an expert in this case. How did that come
21 about?

22 A I'm trying to think of who contacted me first.
23 I think -- correct me if I'm wrong, but I think it
24 probably came from this office.

25 Q Well, just testify to the best of your memory.

1 A Yeah.

2 Q Unfortunately, Mr. Williams can't help you
3 answer.

4 A Yeah. I think it was through this office.
5 I'm not necessarily known in these parts. And we don't
6 have a center in these parts.

7 It's possible that I was recommended by the
8 head of endocrinology at All Saints (sic) Children's in
9 St. Petersburg because we happen to be very good friends
10 and he's very senior.

11 Q So at some point in time, you communicated
12 with someone here at this law firm?

13 A Right.

14 Q The Burr Forman law firm?

15 A Right.

16 Q Okay. Who was the first person you spoke with
17 at this firm?

18 A I believe it was Rob Williams.

19 Q Mr. Williams that's sitting next to you there?

20 A Yes. I think, I'm virtually certain.

21 Although it's also possible that Shannon had
22 communicated with me about the situation. Not a
23 specific case. And it's possible that my answer to
24 Shannon was that I would be willing to be involved.

25 Q And by Shannon, you mean Shannon Minter, the

1 attorney for NCLR?

2 A Yes.

3 Q Who's also in the room with us today?

4 A Yes.

5 Q How did you know Shannon before, if you did?

6 A Well, I didn't know Shannon before, but I have
7 subsequently found out that Shannon is very well known
8 to attorneys in Boston -- do you know the organization
9 GLAAD?

10 Q I'm familiar with it.

11 A Yes. So the head of transgender services for
12 GLAAD is somebody I have had a close relationship to and
13 have advised and even -- well, I gave verbal testimony.
14 Not in a court. It was actually in a -- it was actually
15 a joint committee of the main legislature.

16 Q So is it possible that the first person you
17 spoke with about possibly being an expert in this case
18 was Shannon?

19 A I'm not sure it would have been spoken. It
20 would have been an email.

21 Q Okay. So is it possible the first
22 communication regarding your work in this case could
23 have been an email with Shannon?

24 A Right.

25 Q Okay. And when was that?

1 A This is just a ballpark. I would say
2 somewhere around four months ago, maybe.

3 Q And do you still have any email communications
4 that you had with Shannon regarding the engagement?

5 A I believe I do. Not specifically about the
6 engagement but about -- I recall receiving a fascinating
7 email from one of my former trainees. And it had to do
8 with new findings in transgender children, something you
9 should know about. He said, this is hot off the press.
10 And I took Shannon's -- the email came to me and it had
11 a gigantic website. And I forwarded it to Shannon.

12 Q What email address do you use to communicate
13 with Shannon? Or did you use to communicate with
14 Shannon?

15 A I can't remember it. But it was, I believe,
16 the name Shannon was in the email.

17 Q Sorry. Not Shannon. Your email address --

18 A Oh.

19 Q -- that would get to you. Sorry.

20 A Okay. So I have a typical Children's Hospital
21 address. It's Norman.Spack@childrens.harvard.edu.

22 Q Is that your primary email address?

23 A Yes.

24 Q And even though you retired, would that be a
25 permanent email address that you're allowed to keep?

1 A You're allowed to.

2 Q Do you communicate with any other email
3 accounts?

4 A No.

5 Q Okay. All right. As far as -- you said it
6 was about four months ago when you were engaged. What
7 were you asked to do in this case?

8 A Well, I'm not entirely sure that Shannon was
9 the one to be -- to tell me what was required.

10 Q Okay. Thank you for clarifying that.

11 A It's quite possible that Shannon said they had
12 a case that was a municipal case. And I think that
13 referred me to Rob. And I think it was Rob who gave me
14 a more full picture of what's come out.

15 Q And did Rob communicate that to you in
16 writing, through an email, or some kind of document?

17 A No. I think it was on the telephone.

18 Q Okay. And so going back to that assignment or
19 that request of you, what were you asked to do in
20 connection with this case?

21 A Well, it was looking for -- he was looking for
22 an expert witness in an area that involved treatment of
23 youth, and particularly adolescents. I guess my -- it's
24 possible that my CV is online, but one thing -- there
25 are two things that would make me an appropriate person,

1 and that is -- there are very few people who have --
2 that were boarded in adolescent medicine and
3 endocrinology.

4 Q By "boarded," you mean board certified?

5 A Board certified. Yep.

6 So it seemed that I would be an appropriate
7 person. And on top of that, I had seen about 250
8 adolescents. But early in the game, I volunteered to
9 take care of adults. And I saw about an equal number of
10 adults.

11 Q So understanding, generally speaking, you were
12 asked to be an expert witness. Was there a specific
13 task or specific tasks you were asked to perform in
14 connection with the engagement?

15 A You know, I think it was still somewhat vague.
16 I think it was -- there were some issues -- there were
17 some issues about who should take care of these
18 patients. And I think I was asked for the approximate
19 date in which these things would come together. And
20 that was more important to me than anything.

21 And then it was probable that in a subsequent
22 conversation it was clear that the issues had to do with
23 what we used to call reparative therapy or conversion
24 therapy.

25 Q So understanding that the initial conversation

1 or conversations may have been somewhat general, at some
2 point, did a specific, you know, list of items or
3 requests materialize so that you could, you know, then
4 proceed as the expert?

5 A Well, I think that -- actually, all I had to
6 hear was that it was an issue of reparative or therapy.
7 Anything that related to aversive or reparative therapy,
8 I would be interested in.

9 Q Let me back up a minute. When you testified
10 before the main legislature --

11 A Yeah.

12 Q -- or maybe you said a committee of the
13 legislature. Let me stop there. Was it a committee of
14 the main legislature?

15 A It was a committee of both sides. So it was a
16 joint committee of the Senate and legislature.

17 Q So both houses?

18 A Both houses were represented. But I would say
19 that the total number of sitting in that big horse shoe
20 must have been about 15 people.

21 Q What was the subject matter of your testimony
22 there?

23 A The subject matter of the testimony, it was a
24 question of bathroom use in a 12-year-old genetic male,
25 but an affirmed female, and an identical twin too, who

1 had been always accepted as a female at school, allowed
2 to use the ladies room, et cetera. And things changed
3 when the child who transferred into the school had a
4 grandfather -- told the grandfather the story and the
5 grandfather started spying on the school.

6 Anyhow, they changed -- they gave this girl a
7 monitor to see that she wouldn't use the ladies room;
8 only use the nurse's room. I'll just get to the end, in
9 that the head of GLAAD was testifying. I was, the
10 father was.

11 Ultimately, the girl said we did as good a job
12 as we could have, but we failed. And the only one who
13 could actually win the case would be her.

14 Q So what specifically were you testifying about
15 before this committee?

16 A We were testifying that it was appropriate for
17 her to use the bathroom.

18 Q I see.

19 A And they won the case.

20 Q So let me now -- have you testified before any
21 other legislatures on GLBT (sic) issues?

22 A Only once. And it was before this. There was
23 a bill before the Massachusetts legislature on the
24 rights of GLBT people (sic), and antidiscrimination law,
25 particularly with respect to housing, employment, and a

1 number of other things. It sat in committee for a long
2 time. And then it ultimately passed. I was one of the
3 panel that spoke to a joint committee of house and
4 senate.

5 Q In favor of passage of the law?

6 A In favor of passage, yeah.

7 Q And have you ever testified before any
8 legislative body, either state, municipal, county,
9 regarding a therapy ban similar to Tampa's that we're
10 here about today?

11 A No.

12 Q And earlier, when you said -- you used the
13 term "reparative therapy." Can you tell me what that
14 means to you when you use that word?

15 A To me, it means the same as trying to get the
16 patient to return to living in their birth sex.

17 Q And you also used the word "aversive" a moment
18 ago. What does that mean?

19 A Aversive means where the therapist actually
20 slaps the patient's hand. It actually implies something
21 noxious. Even at some point, these patients, years ago,
22 was subjected to electric convulsive shock. So I would
23 define aversive as a painful and noxious treatment.

24 Q And you, just now when you talk about
25 reparative therapy and aversive treatments, are you

1 speaking specifically in the context of children or
2 persons who identify as transgender or identify as a
3 gender other than their birth sex?

4 A Not really.

5 Q Okay.

6 A I mean, that's my interest.

7 Q Okay.

8 A And I don't claim to have this -- sure, I have
9 experience with gay and lesbian kids.

10 But I also know that these therapies have been
11 applied to the gay and lesbian kids as well.

12 Q Do you considered aversive therapies to be
13 unethical?

14 A Yes.

15 Q In all context or specifically in the context
16 of what you define as reparative therapy?

17 A I can't think of anything that we could call
18 therapy that would be associated with painful -- painful
19 treatment.

20 Q In what states are you licensed as a
21 physician?

22 A Massachusetts.

23 Q Any others?

24 A No.

25 Q And in the state of Massachusetts, is there a

1 board or authority, governmental board or authority that
2 controls your licensure?

3 A Yes.

4 Q What is that board?

5 A Massachusetts board of medicine.

6 Q Do you know the makeup of that board in terms
7 of the credentials or qualifications of the board
8 members?

9 A To be on the board? I don't. But I'm pretty
10 certain that some members of the board are not
11 themselves physicians.

12 Q Is it your understanding that some or most of
13 the members would be physicians?

14 A I would think so.

15 Q Does that same board hear any complaints about
16 you or any other physician in the state of Massachusetts
17 for unethical practices?

18 A Yeah. That's -- it's my understanding that
19 they are the first to receive such complaints.

20 Q And would it be your understanding that if a
21 complaint was made to that board about an aversive
22 treatment by a patient, that they would investigate that
23 and take that seriously?

24 A They would, especially because -- and I just
25 found this out. I would have -- that Massachusetts

1 would have been on the forefront of this. But I just
2 found out that prior to this year, in New England, all
3 the states except Massachusetts and Maine had ordinances
4 against reparative therapy or aversive therapy. But
5 this year, both Maine and Massachusetts have joined the
6 other four.

7 Q Okay. Prior to Massachusetts enacting some
8 kind of statewide ban against reparative therapy, would
9 it have been possible to submit a complaint to the
10 Massachusetts board of medicine regarding unethical
11 practices by a physician?

12 A I would imagine so, and then they would have
13 had to run a case-any-case basis to decide.

14 Q Getting back to your engagement in this case,
15 at some point were you given a specific list of tasks or
16 instructions for what was needed from you as an expert
17 witness?

18 A Yes. I have a notebook that's the size of
19 Robert Mueller's that contains numerous other people's
20 depositions and other comments.

21 Q Was all that material provided to you?

22 A Yes.

23 Q By Mr. Williams' firm?

24 A Yes.

25 Q Okay. Does it include a specific list of

1 things for you to complete or services to provide?

2 A No. The only thing -- and it was quite
3 helpful because I had brought down from Boston, a huge
4 amount was directing what areas I would likely need to
5 focus on.

6 Q And that directive, was that regarding
7 specifically your deposition today or the assignment in
8 general?

9 A No. I think my deposition today.

10 Q I see.

11 A And, yeah.

12 Q Now, so far in this case, you have provided a
13 declaration. Do you recall that?

14 A Correct.

15 Q And you're testifying here today. Are there
16 other tasks yet to be completed in connection with your
17 engagement?

18 A I don't think so.

19 Q So --

20 A There's one area that I think you should
21 know --

22 Q Okay. What's that?

23 A -- because I think it will help you. And that
24 is the issue of other physicians who have been
25 associated with, you might call reparative therapy.

1 Are you aware of other physicians in Canada?

2 Q What do you want me to know about physicians
3 in Canada?

4 A Probably the most famous physician who has
5 been responsible for recommending a kind of coercive
6 therapy, i.e., telling parents to -- that if -- to limit
7 the child's toys and clothes, et cetera, to one room in
8 the house and not permit the child to take any of those
9 things outside the house. In a way, I call this a kind
10 of coercive therapy.

11 And he's been head of the -- of the program at
12 the hospital of sick children -- adjacent to the
13 hospital of sick children in Toronto. And he has -- I
14 just blocked on his name. I just blocked on his name.

15 Q You might remember it on a break or something
16 later. That's fine. Just...

17 MR. WILLIAMS: I can't help you,
18 unfortunately, under the rules, so...

19 THE WITNESS: It's blocked.

20 I've known him for 30 years. There are some
21 things he's done that have been commendable with
22 treating adolescents. But in treating children,
23 I've had several patients go to see him and come
24 back very depressed, very -- the child more dug in
25 than ever.

1 BY MR. GANNAM:

2 Q What condition are we talking about?

3 A We're talking about gender dysphoria --

4 Q Okay.

5 A -- in a child who is prepubertal, perhaps
6 11 -- ten- or 11-year-old genetic male, affirming a
7 female identity and having two other -- two brothers who
8 initially were trying to do everything possible to get
9 this child to act in a masculine way like they.

10 But they came -- the family came back from --
11 Ken Zucker is his name. And the brothers went to the
12 parents and saw -- they said, he's totally depressed,
13 he's lying on the couch, he won't do anything. And the
14 parents were about to do what Zucker had recommended
15 which was to give the child a birthday present, a
16 boy-type toy. And the brothers suddenly changed their
17 mind. They said, You can't do this, you've got to give
18 her a girl thing. She'll just dissolve.

19 And then they went forward and treated her
20 like a girl, dressed as a girl. Her progress has been
21 phenomenal. And what happened was Zucker -- I think
22 what actually happened was there are so many parent
23 groups now of kids who have gender issues. They talk to
24 each other. And I believe it was the parents that
25 initially caused a review of his practices. And he

1 actually lost his position.

2 Q Did you participate in any formal review of
3 Dr. Zucker's practices?

4 A No.

5 Q Do you know why he lost his position, apart
6 from what you've heard from others?

7 A I have. It's interesting because I have a
8 bill of particulars of what they -- what the reviewing
9 committee in all of Canada had. And it seemed that it
10 doesn't come to the conclusion of what should be done
11 with him, but it does indicate in what ways he needs to
12 improve the main standards.

13 And it appeared that one of the most
14 problematic area was that he seemed to be operating in a
15 vacuum, that he didn't bring in enough other specialists
16 like social workers and et cetera. And it was quite
17 secretive what he was doing.

18 Now, it's important I tell you this because
19 I've been present at meetings where he speaks. And
20 it's -- there's no -- he doesn't put any criticism or
21 typical debate.

22 And he is often -- if the you read the DSM5,
23 that Diagnosis and statistical manual of psychiatric
24 diseases, or the APA, American Psychological Association
25 standard of care, or the WPATH, the world professional

1 association of transgender care, it's very likely that
2 what has been written as a standard of care of
3 transgender youth was written by him. And so you better
4 be sure you're reading the most up-to-date thing because
5 a lot of the things that he wrote have been erased. And
6 then nobody had the courage to say, we can't have his --
7 because he was basically codifying his approach.

8 Little kids don't necessarily know who they
9 are, so let's not allow them to live in a way they want
10 to. The worst case scenario that maybe they'll turn out
11 gay and that's better than turning out trans.

12 That's how he would speak.

13 Q Did the City of Tampa rely on any of
14 Dr. Zucker's work in connection with passing its
15 ordinance?

16 A I think not.

17 Q Do you know?

18 A I don't know.

19 Q Getting back to your engagement in this case,
20 let's start with your declaration, though. What were
21 you asked to address in your declaration?

22 A I was asked to address my opinion about a
23 therapy that convinces -- attempts to convince children
24 and adolescents to remain in or return to the sex and
25 behavior of the sex at birth.

1 Q And so apart from offering an opinion about
2 that, what else or other specific items were you asked
3 to address in your declaration?

4 A I don't actually recall that I had -- that
5 there was another task.

6 Q And is --

7 A And, in fact, I don't think there was any
8 mention in that for gay and lesbian youth.

9 Q Do you mean that your opinions are limited to
10 children who identify as transgender or gender --

11 A Correct.

12 Q And a note about terminology. I see
13 transgender and the abbreviation TGNC for transgender,
14 gender nonconforming.

15 If I say TGNC, will you understand what I'm
16 talking about?

17 A No.

18 Q So that's not an abbreviation you use?

19 A You know, all of these things can -- everybody
20 has -- I'll give you an example. You might find some
21 literature, even the literature that I helped write the
22 standard of care from the endocrine society says
23 standard of care for treatment of transsexual. Now, I
24 wouldn't write "transsexual" because that's the European
25 term, the broad-brush term for people who feel they're

1 in the wrong body.

2 I remember when transgender meant you felt you
3 were in the wrong body. But you didn't qualify as
4 transsexual unless you had surgery. So I find
5 transgender to be sufficiently inclusive.

6 Q And will you just define what you mean by the
7 word "transgender," then so, that we communicate clearly
8 the rest of the day?

9 A Somebody who believes that their physical body
10 does not conform to this sense of self.

11 Q And under that definition you just gave me, is
12 that an absolute? Or are there degrees of those
13 feelings of not -- of physical body not being congruent
14 with how the person identifies themselves?

15 A That's a good question.

16 MR. MIHET: They're all good questions.

17 MR. GANNAM: Stipulate.

18 THE WITNESS: The point is, by the time they
19 get to me, I tend to see only those who are pretty
20 hardened in their feelings. But I'm not with them
21 all the way.

22 Now, the one group that is not all the way who
23 I have seen, the very frustrating group, that's the
24 group that calls themselves gender queer, and I
25 call them gender fluid.

1 But either way, they don't want to see me.
2 It's the parents that want them to see me because
3 there is no medicinal treatment for them unless
4 they decide one way or the other.

5 BY MR. GANNAM:

6 Q So can you tell me or maybe give me a
7 definition of the condition of a person who identifies
8 as gender queer or what you call gender fluid?

9 A It's a person who doesn't commit to being
10 either male or female. But that may take different --
11 manifest, for example, it could be someone who just sees
12 themselves somewhere in the spectrum and it changes from
13 day-to-day, and someone who sees themselves very much
14 male one day and very much female the next.

15 Q Now, is gender queer or gender fluid itself a
16 gender identity?

17 A It is, but it is as a term.

18 Q What does that mean?

19 A Well, because it gives you -- if you
20 describe -- otherwise you'd have a great difficulty
21 describing this person.

22 But if I say to you, this kid is gender fluid,
23 then you wouldn't have expectations of is the kid going
24 to strike you as a male or female.

25 Q So suppose we were to categorize the different

1 gender identities that at least are recognized in
2 medicine in your field, would it be accurate to say
3 there is male, there is female, and an in-between gender
4 fluid captures everything in between or does it only
5 capture some in between?

6 A No. If it's ill defined and especially
7 moving, a moving target, I would call it a form of
8 gender identity.

9 Q Okay.

10 A The thing I don't know, and I wouldn't be able
11 to tell you -- I don't know if I'll live long enough to
12 find out -- is how do these people end up? Are they on
13 a path towards one or the other or not?

14 Q Now, you said earlier that when a minor would
15 identify as gender fluid or gender queer presents to
16 you, there's not a medical treatment for them. Can you
17 just explain what you mean by that?

18 A Well, if they were certain they were to affirm
19 a male identity, then they would need to be testosterone
20 treated, unless they're making it by themselves.

21 If they affirm a female identity, they would
22 need to be estrogen treated, unless they already are
23 making estrogen themselves.

24 So many of the gender fluid kids take no
25 medications.

1 Q Thank you.

2 Now, getting back to your declaration that you
3 prepared in this case, just so I'm clear, was there ever
4 a document or set of instructions provided to you by
5 Mr. Williams' firm that said, for example, make sure you
6 address these five points or this one point in your
7 declaration?

8 A I think the -- I think that is the case.

9 Q Okay.

10 A Because I really didn't know what was going to
11 transpire here.

12 Q And was that an email or some other kind of
13 document?

14 A It may have been an email. I think it
15 probably -- I mean, it would be too complicated for them
16 to telephone it.

17 Q Certainly you needed something to tell you
18 what --

19 A A template.

20 Q -- you were writing; correct?

21 A Right.

22 Q And as you sit here, do you believe that your
23 declaration addressed what you were asked to write
24 about?

25 A Yes.

1 Q Was there anything you were asked to write
2 about that you declined to write about for one reason or
3 another?

4 A No. But in fact, my first draft was really
5 far too wordy. And what it really was was a kind of
6 autobiography of my life and the terms...

7 Q Is that the word Rob used, "too wordy"? I'm
8 kidding.

9 Did anyone review earlier drafts of your
10 declaration besides this law firm?

11 A No.

12 Q Did you provide earlier drafts of your
13 declaration to anyone outside of this law firm?

14 A No.

15 Q Did you receive input regarding what should be
16 in your declaration from anyone besides this law firm?

17 A No.

18 MR. WILLIAMS: Off the record.

19 (Off the stenographic record.)

20 BY MR. GANNAM:

21 Q So, back to your engagement here. Apart from
22 preparing the declaration and testifying here today, has
23 your engagement involved any other work on your part?

24 A Only reading Tommy Hart's --

25 Q Let me ask you this, what did you do to

1 prepare for today specifically? In other words, your
2 declaration was written and submitted. At some point,
3 did you start preparing for your testimony today?

4 A Okay. I'll make this brief. And this was
5 just yesterday, so this was a last-minute. I read the
6 ordinance.

7 Q The Tampa ordinance?

8 A Yes.

9 Q Okay.

10 A I read my declaration. I read -- I read
11 Dr. Hudson's narrative. And I read -- because I thought
12 it was very well written, Dr. -- is it Glassman?

13 Q Glassgold, maybe?

14 MR. WILLIAMS: Glassgold.

15 THE WITNESS: Glassgold.

16 BY MR. GANNAM:

17 Q Anything else that you read?

18 A No.

19 Q And you said that this was yesterday that this
20 occurred?

21 A Yep.

22 Q And did you meet with anyone to prepare for
23 your testimony today?

24 A Yes. You gave us some extra time. But
25 really, yesterday, we spent time. But that was not so

1 much a personal review. It was more related to the
2 ordinance. And I think that was really it. That is --
3 yeah.

4 Q Who did you meet with yesterday?

5 A It's also possible that yesterday we read
6 the -- Kenneth Zucker, the -- the Kenneth Zucker review,
7 critical review.

8 Q And who did you meet with yesterday?

9 A With Rob, Shannon.

10 Q Anyone else?

11 A I don't think so.

12 Q How long did you meet for?

13 A I would say maybe three or four hours. After
14 we went through the golf stories.

15 Q Did you have any other in-person meetings
16 besides that meeting yesterday to prepare for your
17 deposition today?

18 A No.

19 Q Okay. So we covered documents you reviewed
20 and who you met with. All right.

21 Are you being compensated for your engagement
22 as an expert witness in this case?

23 A I hope so.

24 Q What is your understanding of the arrangement?

25 A I understand that my expenses will be covered

1 coming down here, hotel, and that I would be compensated
2 at what has been referred to as a municipal rate.

3 Q That makes me think lower than what you'd get
4 anywhere else. Does that sound about right?

5 A It is.

6 Q So you testified -- compensated for time spent
7 doing what, exactly?

8 A Preparation, attendance at meeting -- the
9 meeting unrelated to this one, and this deposition
10 meeting.

11 Q And what is the rate that you're being
12 compensated at? Do you know what that is?

13 A I think I know what it is. The non -- the
14 deposition rate I believe is \$250 an hour. And the
15 non-deposition work is half that.

16 Q Are you offered any enhancement or additional
17 compensation based on the outcome of the case?

18 A No.

19 Q And to your understanding, who is paying your
20 compensation?

21 A I assume this law firm is.

22 Q Do you have any written agreement to that
23 effect?

24 A No.

25 Q All right. Let's do this. Should we take

1 a -- let me just get something on the record first, make
2 the best use of our time here. I'm handing you a
3 document that I'm marking as Exhibit 36, which for the
4 record is just a continuation of the numbering of
5 plaintiffs' exhibits.

6 (Exhibit 36 was marked.)

7 BY MR. GANNAM:

8 Q Doctor, what I've handed you is titled
9 "Declaration of Norman Spack, MD," with a case style at
10 the top reading United States District Court for the
11 Middle District of Florida, Tampa Division, Robert L.
12 Vazzo, LMFT, versus City of Tampa, Case No.
13 8:17-cv-02896, et cetera. Do you recognize this
14 document?

15 A Yes.

16 Q Is this the declaration that you prepared for
17 the City of Tampa as an expert witness in this case?

18 A Yes.

19 Q You had an opportunity to review this
20 yesterday; correct?

21 A Yes.

22 Q Is there -- is everything in this declaration
23 still accurate and truthful as we sit here today?

24 A There's one slight error.

25 Q Okay. Please point that out.

1 A On my part. Number nine, I've treated more
2 than 250 transgender children, not 140.

3 Q You're talking about number nine on Roman
4 numeral one on page two?

5 A Yes. The very last line.

6 Q Okay. And that number that reads now says,
7 "I've treated more than 140 transgender children and
8 adolescents." What should the number be?

9 A 250.

10 Q Okay. Do you know why you said 140 before?

11 A I don't know. I was -- I think my mind had
12 wandered to how many people the study had enrolled.

13 Q Other than that change, are there any other
14 changes necessary to make the entire declaration
15 accurate?

16 A Okay.

17 Q Is that --

18 A My CV.

19 Q I'm not sure if my question and your answer
20 matched up. So let me ask, just for the record. Are
21 there any other changes that need to be made to the
22 declaration to make it entirely accurate?

23 A I think not.

24 Q Did anyone assist you in writing the
25 declaration, besides any assistance this law firm may

1 have provided in formatting and things like that?

2 A No.

3 Q So is it fair to say all the content of the
4 written part came from you?

5 A Yes.

6 Q About how much time would you say it took you
7 to write the declaration?

8 A Well, I talked with them after initial. So
9 there was an initial, and then...

10 I would say that total together, I would say
11 it would probably have been about three hours.

12 Q Have you done any additional research or
13 writing in connection with this expert engagement since
14 you submitted this declaration?

15 A No.

16 Q Do you intend to give any opinions in this
17 case that are not reflected in this declaration?

18 A No.

19 MR. MIHET: Good.

20 Just kidding.

21 BY MR. GANNAM:

22 Q And I didn't -- it's probably just a
23 formality, but will you turn to page four of the
24 declaration? The signature appears as Norman Spack. Is
25 that your signature?

1 A No. I can't write that well.

2 Q Is that an electronic signature made on your
3 behalf or that you effected yourself?

4 A No. I believe it's electronic, and it was
5 with my approval.

6 Q Okay. Very good.

7 All right. Why don't we take a break now,
8 maybe just a five-minute comfort break, and try to --
9 are you okay with taking a little bit later lunch today,
10 just so we can cover some --

11 MR. WILLIAMS: I'm okay, as long as Dr. Spack
12 is.

13 THE WITNESS: I'm okay.

14 MR. GANNAM: All right. We'll make this very
15 quick, if that's all right with you. And then
16 we'll come back and get some more testimony.

17 (A brief recess is had from 12:17 p.m. to
18 12:44 p.m.)

19 BY MR. GANNAM:

20 Q Dr. Spack, will you take a look again at your
21 declaration that we marked as Exhibit 36. And on the
22 first page, I just want to look at numbered paragraph
23 two. You see that?

24 A Yep.

25 Q And first sentence says [as read]: I have

1 read the ordinance number 2017-47, an ordinance of the
2 City of Tampa, Florida, relating to conversion therapy
3 on patients who are minors.

4 Did I read that correctly?

5 A Yep.

6 Q And is that the ordinance that we've discussed
7 up to now in today's deposition?

8 A Yes.

9 Q And you go on to say, the next sentence -- I'm
10 sorry. The continuation of the same sentence. You read
11 [as read]: And in my opinion, the ordinance is based
12 upon the evidence-based medical consensus regarding
13 appropriate treatment of transgender and
14 gender-nonconforming youth and the ordinance is
15 necessary to effectuate the City of Tampa's interest in
16 protecting vulnerable minors from the serious harms
17 caused by conversion therapy.

18 Did I read that correctly?

19 A Yep.

20 Q So just want to be clear for the record. Your
21 opinion is offered only in connection with transgender
22 and gender-nonconforming youth and excludes
23 considerations specifically relating to gay and lesbian
24 and bisexual youth. Would that be fair to say?

25 A That was my understanding.

1 Q Okay. Very good.

2 And when you use the term "conversion therapy"
3 in that sentence, what does that mean?

4 A Some people would call it -- I'm trying to
5 think -- different names for this. But in any case,
6 conversion therapy is a therapeutic approach to try to
7 induce and encourage patients who are minors, in this
8 case, to maintain or revert to their biologic sex, or
9 probably better to say a gender identity consistent with
10 the biologic sex.

11 Q And in that sense, would that -- is that the
12 same definition, essentially, that you gave me earlier
13 for the term "reparative therapy"?

14 A Yes.

15 Q Okay. Now, in the middle of that sentence,
16 appears the term "evidence-based medical consensus."
17 Can you explain what that term means?

18 A Yes. That there are medical writings that --
19 I'm trying to see how it's written. Yeah. There are
20 medical writings that state that the care of transgender
21 and gender-nonconforming youth is best accomplished in
22 methods consistent with the ordinance.

23 Q Now, you've had an opportunity to read the
24 ordinance; correct?

25 A Yeah.

1 Q And is it your belief that what the ordinance
2 prohibits is what you defined earlier as conversion
3 therapy?

4 A I believe so.

5 Q And do you have any understanding or belief as
6 to whether the ordinance prohibits more than what you
7 identified as conversion therapy?

8 A It's possible that the ordinance deals with
9 gay and lesbian youth. But it wasn't my purview.

10 Q Okay. Fair enough.

11 So specifically as it relates to transgender
12 and gender-nonconforming youth, do you have any belief
13 or understanding as to whether the ordinance prohibits
14 something in addition to or beyond what you earlier
15 defined as conversion therapy?

16 A I don't think so.

17 Q So this sentence where you said "the ordinance
18 is based upon the evidence-based medical consensus
19 regarding appropriate treatment of transgender and
20 gender-nonconforming youth," that is to the extent the
21 ordinance prohibits what you identified as conversion
22 therapy. Would that be fair to say?

23 A That's fair.

24 Q Now, did you cite to any of the medical
25 writings that you said this evidence-based medical

1 consensus is based on, in this declaration?

2 A I believe there are a couple of -- it's hard
3 for me to remember which is in what reference. But if
4 you look on page two, there are a number of references
5 in which I was a co-author.

6 Q All right. Are you referring to the footnote
7 denoted by the asterisk on page two?

8 A Yeah. Right.

9 Q Okay. And do all of the documents referenced
10 there have in common that you are an author or at least
11 co-author of the document?

12 A I see that I am.

13 Q Now, if I refer you to the numbered paragraph
14 five on page two where the asterisk appears about
15 halfway through the paragraph, following the sentence
16 [as read]: I have published peer-reviewed articles on
17 the treatment of transgender people with a particular
18 emphasis on gender identity and youth.

19 Do you see that?

20 A Yep.

21 Q So does this footnote asterisk specifically
22 relate to that sentence?

23 A They do.

24 Q Okay. Do these articles or documents
25 identified in that footnote, are those intended to be

1 support for your statement in paragraph two that there
2 is an evidence-based medical consensus regarding
3 appropriate treatment of transgender and
4 gender-nonconforming youth?

5 A Not all of these references.

6 Q Okay.

7 A But I believe that there is -- in at least
8 one, there is reference because when we write, we often
9 not only write about how to, but how not to.

10 Q When you say "when we write," what are you
11 referring to?

12 A When any of my colleagues -- these are all
13 people who are members of the Gender Management Service.
14 And it's interesting that especially around the time
15 that these were written, I just recall conversations
16 about, don't you think we should put something in about
17 what not to do. And to some extent, that was a dart
18 pointed at Dr. Zucker.

19 Q So of the references identified in footnote
20 asterisk, which of them would you offer as support for
21 the statement in paragraph two that the Tampa ordinance
22 is based upon evidence-based medical consensus regarding
23 appropriate treatment of transgender and
24 gender-nonconforming youth?

25 A You know -- all right. I'll give you an

1 example. The first one by Tishelman could well have it.

2 The second, by me, Clinical Crossroads.

3 Laura Edwards-Leeper and myself could have.

4 Q Now, when you say "could," does that mean they
5 do support it or they might?

6 A No. I can't -- I can't recall which ones
7 because it would likely be a sentence or something that,
8 in fact, any of them could.

9 Q So, as you sit here right now, can you
10 identify one of them that does?

11 A I can't be sure. They're several years old.
12 But if anything, that makes it more likely to be
13 included because this is when the gender clinic was --
14 this is reflecting our treatment in the gender clinic.
15 And we were very likely to put something in that
16 reflects -- reflects our feeling about reparative or
17 conversion therapy.

18 Q So as I understand you, you're saying it might
19 or may even likely these articles may include -- strike
20 that question.

21 If I understand you correctly, you're saying
22 these references in the footnote asterisk may address
23 conversion and reparative therapy, but as you sit here
24 right now, you're not sure?

25 A I'm not -- I'm pretty sure they include it.

1 I'm not sure which one.

2 Q And when you say -- do you have that same
3 level of confidence specifically regarding the statement
4 in paragraph two that the ordinance is based upon
5 evidence-based medical consensus regarding appropriate
6 treatment of transgender and gender-nonconforming youth?

7 A I'm sorry. Can you repeat that?

8 MR. GANNAM: Can you read back my question?

9 (The Court Reporter is directed to read back
10 the previous question and/or answer.)

11 THE WITNESS: Yes.

12 BY MR. GANNAM:

13 Q Is there a reason why you didn't include a
14 citation to authorities in paragraph two?

15 A I'm not sure that this -- I'm not sure that
16 it's fair to say that it doesn't include it. It doesn't
17 include that any particular case. But it takes into
18 account our opinion based on evidence-based consensus,
19 which means the articles would have some citation.

20 Q Let me ask you this, do you agree with me that
21 paragraph two on the first page of your declaration
22 itself does not cite to a medical authority either in
23 the text or by footnote or some other reference?

24 A All right. I'm just...

25 MR. WILLIAMS: You're referring to paragraph

1 two on page one of his declaration?

2 MR. GANNAM: Right.

3 THE WITNESS: Well, I thought it would be -- I
4 think this is pretty straightforward. It's one
5 thing for me to say I have read. It's another
6 thing to say I have published. And so the -- it
7 makes a stronger statement when you asterisk
8 something that leads to citations, all of which
9 include my name. Number two doesn't -- would not
10 be considered a medical citation.

11 BY MR. GANNAM:

12 Q Okay. So I think you answered perhaps why
13 paragraph two doesn't include a reference. My question
14 was actually that, do you agree with me that paragraph
15 two does not contain a reference to other medical
16 writings to support any of the propositions in that
17 paragraph?

18 A Right. That is correct. However, when I did
19 not write anything in paragraph two, I knew that there
20 would be references coming in paragraph five.

21 And, in fact, in most medical journals, the
22 references don't even come to the very end of the
23 article. I thought this would be more relevant to have
24 the references close on the same page as a relevant
25 point.

1 Q And just so I'm clear. This declaration does
2 not include any kind of end notes or list of references
3 or bibliography or anything like that; correct?

4 A Right. Because otherwise, you wouldn't have
5 footnotes.

6 Q Okay. So apart from this footnote asterisk
7 that appears on page two referencing a sentence in
8 paragraph five, are there any other lists of references
9 provided in your declaration?

10 A No.

11 Q Numbered paragraph six on page two refers to a
12 clinical practice guideline attached as Exhibit B.

13 Do you see that?

14 A Yes. Okay. There were three things, yeah.

15 Q And if I flip to Exhibit B of your
16 declaration, which is approximately the last third,
17 maybe, of the packet that's in front of you, do I see
18 that guideline that you reference in paragraph six?

19 A Exhibit B?

20 MR. WILLIAMS: This one right here. Let me
21 find it for you real quick.

22 BY MR. GANNAM:

23 Q Yeah. Rob can help you find it real quick.
24 That's not a problem.

25 MR. WILLIAMS: Parenthetically, off the

1 record.

2 (Off the stenographic record.)

3 BY MR. GANNAM:

4 Q All right.

5 A Yes.

6 Q So in Exhibit B, we see that [as read]:

7 Endocrine treatment of transsexual persons, an
8 independent society clinical practice guideline.

9 Did I read that correctly?

10 A Yes.

11 Q And tell me your involvement in preparing this
12 document in Exhibit B.

13 A As a member of the task force, I helped draft
14 and review drafts. We had two full days of meeting in
15 New York. And then the drafts were formulated. We sent
16 our suggestions in. You may not have seen an
17 evidence-based -- if you notice the -- first of all, if
18 you notice where the people came from, this was
19 international.

20 Also, take a look at the circles next to many
21 of the statements. It's interesting because it shows
22 how much we need to know.

23 Q Can you give me a page reference where I can
24 see exactly where you're talking about?

25 A I think a good page for it is 3133. And look

1 down at 4.0.

2 Q All right.

3 A So there are up to -- up to four circles and
4 have a cross inside. And the degree to which -- the
5 degree to which the statement is borne -- meets the
6 title of -- meets the status of evidence basis. Those
7 get the highest number.

8 And there was a person who -- certainly if
9 there's a -- two of them are crossed, and absolutely if
10 there are three or four, that's strong evidence basis.

11 When you see, for example, under 2.0, we
12 suggest deferring surgery until the individual is at
13 least 18 years old. And that was only given one. And
14 that's because we really aren't sure of what we should
15 recommend.

16 Q Let me ask you, before getting into some of
17 those details. You said that you participated in
18 drafting these guidelines; correct?

19 A Right.

20 Q And you participated in the process of
21 reviewing earlier drafts before this final guideline
22 came out?

23 A Yes.

24 Q And as the -- as this guideline exists, or
25 existed when it was published in its final form, were

1 you in agreement with everything in it?

2 A Yes.

3 Q And okay. And did you have an opportunity
4 during the drafting process to address any points that
5 you may not have agreed with or enter influence what was
6 in the final version?

7 A Yes. That's a good question. There was --
8 God, it's been a while.

9 MR. WILLIAMS: Finally, you stumbled into a
10 good question, Roger.

11 THE WITNESS: No. There was -- oh. I
12 disagreed with them and I held back. In 2.0, down
13 to 2.4, it says [as read]: We suggest that
14 pubertal development of the desired opposite sex be
15 initiated at about age 16.

16 I won by having the term "at about age 16," in
17 part because I thought age 15 is often very
18 appropriate.

19 So that's my great contribution, is to get "at
20 about age 16". There may be another something like
21 that.

22 BY MR. GANNAM:

23 Q All right. I can appreciate the distinction.

24 All right. So now let me ask you to look at
25 page 3135. And there's a section on the right column

1 that reads [as read]: Method of development of
2 evidence-based clinical practice guidelines.

3 Do you see that?

4 A 3135?

5 Q Yes.

6 A Yeah, okay.

7 Q And then about halfway down that column, maybe
8 a little farther, there's a sentence that begins "in
9 terms of the strength."

10 Do you see that?

11 A Yep.

12 Q All right. I'm going to read a portion for
13 the record. [as read]: In terms of the strength of the
14 recommendation, strong recommendations use the phrase
15 "we recommend," and the number one, and weak
16 recommendations use the phrase "we suggest," and the
17 number two. Cross-filled circles indicate the quality
18 of the evidence such that one cross denotes a very low
19 quality evidence, and that's a graphical one cross. Two
20 crosses denotes low quality. Three crosses denotes
21 moderate quality. And four crosses denotes high
22 quality.

23 Have I read that correctly so far?

24 A Yep.

25 Q And then it continues [as read]: The task

1 force has confidence that persons who receive care
2 according to the strong recommendations will derive, on
3 average, more good than harm.

4 Did I read that correctly?

5 A Yep.

6 Q So does that sort of summarize what you
7 explained earlier about the significance of the cross
8 symbols and --

9 A Yes.

10 Q -- strength of the recommendations?

11 A Yes.

12 Q So for example now, turning back to on page
13 3133, for example, item 2.1.

14 Do you see that?

15 A Yep.

16 Q It says [as read]: We recommend that
17 adolescents who fulfill eligibility and readiness
18 criteria for gender reassignment initially undergo
19 treatment to suppress pubertal development.

20 Did I read that correctly?

21 A Um-hum.

22 Q And it's assigned a one for strength of
23 recommendation, and one cross for quality of evidence;
24 correct?

25 A Right.

1 Q And so to interpret that, I would say that 2.1
2 has a strong recommendation based on very low quality
3 evidence; correct?

4 A Right. And that's because no one had
5 carried -- carried it through yet. You've got to look
6 at the date of this.

7 Q And based on how that strong recommendation I
8 just read on 3135, that that means the task force had
9 confidence that persons who received care according to
10 that recommendation will derive, on average, more good
11 than harm; correct?

12 A Yep.

13 Q Now, that also means that there's a
14 possibility of some subset of the people who received
15 treatment, according to that recommendation, were
16 experiencing some degree of harm; correct?

17 A It's hard for me to imagine -- are you talking
18 about harm during the course of treatment?

19 Q Well, I'm referring to whatever the
20 explanation is on page 3135 that I read earlier where it
21 says [as read]: The task force has confidence that
22 persons who receive care, according to the strong
23 recommendations, will derive, on average, more good than
24 harm.

25 A Right.

1 Q So what does "harm" mean in that sentence?

2 A I can give you one possible potential harm --

3 Q Okay.

4 A -- that we just didn't know the answer to, but
5 were concerned about.

6 Q Okay.

7 A We were concerned about the fact that if
8 you -- since most significant amount of your bone
9 density is acquired in puberty, that to shut puberty
10 down altogether might slow down bone density. And the
11 potential harm would come later with perhaps more
12 reasonably fractured or end up with meeting a fall
13 causing a hip -- breaking a hip, et cetera.

14 Q So at the time these guidelines came out in
15 2009, is it accurate to say that the -- there was a
16 perceived risk of harm of --

17 MR. WILLIAMS: Lunch is here.

18 BY MR. GANNAM:

19 Q -- the bone and density not developing because
20 you --

21 A We stopped puberty.

22 Q -- you stopped puberty.

23 Would it also be fair to say you couldn't
24 measure with a percentage, for example, how much more
25 likely that was to happen as a result of stopping

1 puberty?

2 A No. And one of the reasons for that is that
3 there was no way to be -- no one's ever done this
4 before. So the issue was if they did have a slowing of
5 bone density, would they show catch-up as soon as they
6 started on estrogen?

7 Q And so when I asked, were you able to assign a
8 percentage of the greater or increased likelihood of
9 this bone density not developing as a result of the
10 treatment, is your answer that, yes, you were able to
11 quantify that, or no, you were not able to quantify
12 that?

13 A We were able to -- there is a method of
14 testing bone mineral density through a DEXA scanner.
15 And that was done all the time through this.

16 Q I'm not sure that's the question I asked. So
17 there is a method to test bone density?

18 A Yes.

19 Q And that has assigned some numerical value?

20 A Yes.

21 Q Okay. My question is, At the commencement of
22 treatment, according to recommendation 2.1, were you
23 able to quantify the increased risk that the bone
24 density would be hindered or stopped as a result of the
25 treatment?

1 A We couldn't -- we couldn't predict.

2 Q Okay.

3 A We couldn't predict.

4 And I should say, it also fit into the
5 question of when to start. Because if you started,
6 let's take a girl, a genetic female, if you started her
7 a little later on pubertal suppression, you might have
8 achieved a higher bone density at the time you start.
9 But there are other contravening issues with starting a
10 girl late because the average girl we see is -- we want
11 to see them at ten to 12, and they're coming in at 14,
12 which means how much can you -- how much womanhood can
13 you take away from them when they're already women.

14 Q So there's a balancing of considerations when
15 you are setting out to stop or cease pubertal
16 development through --

17 A Right.

18 Q -- a medical intervention; correct?

19 A Yes. And I'll tell you one other thing.

20 One of the reasons we felt comfortable for
21 using -- and the Dutch felt comfortable of using this
22 pubertal suppression is because there is a -- we all in
23 endocrinology have dealt with usually girls, and usually
24 without tumors who are in this situation of precocious
25 puberty. That is puberty that starts at three, four,

1 five -- I mean, the whole nine yards. And it used to be
2 an absolute mess because those girls end up looking
3 five, seven years older than they are. And they
4 menstruate at kindergarten. And because their growth
5 plates close early, they end up very short.

6 Now, the drug we use for pubertal suppression
7 has enabled them to go through a normal childhood. The
8 drug is stopped at early puberty age. They go into
9 normal menstrual cycles. They become pregnant when
10 desired. They become mothers. It's one of the great
11 success stories.

12 But to extrapolate what happens to their
13 healthy bones, healthy because they had advancement in
14 their maturation process, has always been the question
15 of, yeah, but these girls we're starting are not
16 advanced bone age, bone to start with.

17 Q Meaning the girls who had not experienced this
18 precarious puberty, as you called it?

19 A Yep.

20 Q Didn't start on the puberty blocking regime?

21 A Right.

22 Q Didn't have that benefit of the extra bone
23 development?

24 A Right. And nobody has ever done this before.

25 Q I see.

1 MR. WILLIAMS: All right. Lunch is here. You
2 guys call the shot on that, since you're asking the
3 questions.

4 MR. GANNAM: Let me just see.

5 (Off the stenographic record.)

6 BY MR. GANNAM:

7 Q Let me get back to page one of the guideline,
8 or it's actually 3132 is the journal page number. At
9 the summary of recommendations, 1.0, do you see that?
10 We're still on Exhibit B. I'm sorry. I didn't mean for
11 you to flip back to the...

12 The first page of the article itself. There
13 you go.

14 Do you see the summary of recommendations at
15 the bottom?

16 A Yep.

17 Q All right. Under diagnostic procedure, 1.1
18 [as read]: We recommend that the diagnosis of gender
19 identity disorder, GID, be made by a mental health
20 professional, MHP.

21 Did I read that correctly?

22 A Yep.

23 Q Now, I think you alluded to this earlier, but
24 let me ask. Is it accurate to say that these guidelines
25 apply to treatment that would occur after a child has

1 already had some contact with a licensed mental health
2 provider and determined that there is gender identity
3 disorder or gender dysphoria or a condition that could
4 be helped by the treatment outlined in this guideline?
5 Is that an accurate statement?

6 A I'm not sure. I mean, the issue is that
7 the -- it could be the -- are you talking about there
8 has to be two people involved?

9 Q Well, maybe you can just explain to me what
10 that means.

11 A Because what I'm trying to say is that any,
12 any mental health professional who is experienced in
13 dealing with gender identity disorders needs to be the
14 person who assesses whether the kid is the real deal.

15 Q Okay. And so just for clarification, then,
16 you don't hold yourself out as a mental health
17 professional, do you?

18 A No. I wouldn't -- I wouldn't claim -- I'd put
19 my two cents in from talking to the kid. But I would
20 make my -- our psychologists are the ones who do this
21 testing and make the -- a true judgment about these
22 kids.

23 But I don't -- it could be a social worker.
24 It could be a psychiatrist. And somebody who's really
25 invested in this as an endeavor.

1 Q So would it be fair to say you're an
2 endocrinologist, generally speaking?

3 A Right. Yes.

4 Q And so the treatments that are outlined in
5 this guideline --

6 A Right.

7 Q -- are treatments that, generally speaking,
8 would be administered after someone in the mental health
9 field first sees the child?

10 A Right. Yes.

11 Q Okay. But that's not something -- you don't
12 make that initial determination. The mental health
13 professional would make it; correct?

14 A Right.

15 Q And then one more statement. And then we can
16 have some lunch. Also on that same page, 1.2 -- I'm
17 sorry if you closed it up again.

18 A Okay.

19 Q 1.2 reads [as read]: Given the high rate of
20 remission of GID, after the onset of puberty, we
21 recommend against a complete social role change and
22 hormone treatment in prepubertal children with GID.

23 Did I read that correctly?

24 A Yes.

25 Q And that's a strong recommendation based on

1 two crosses or low evidence; correct?

2 A Right. I think this has just come out in a
3 new form.

4 Q Okay. "This," meaning this guideline?

5 A This guideline. And I haven't seen it. I was
6 not on the committee this time. I think that it's
7 probably -- if you're looking for it, it's probably
8 still under Hembree.

9 Q What's the year of the new guideline?

10 A I think it would probably be either this year
11 or last year.

12 Q Let me just ask you this, then, with that
13 clarification. The assumption, given the high rate of
14 remission of GID after the onset of puberty, let me just
15 stop right there. In 2019, is it still true that
16 there's a high rate of remission of GID after the onset
17 of puberty?

18 A I'm sorry. I want to be sure I...

19 Q I'm on page 3132, item 1.2, bottom right of
20 the page. Do you see where it says, "given the --

21 A I do, yeah.

22 Q So if I just stop with that assumption here
23 that given the high rate of remission of GID after the
24 onset of puberty, is it still a case in 2019 as we sit
25 here today, that there is a high rate of remission of

1 GID after the onset of puberty?

2 A The thing I'm having trouble with in the
3 statement is that you can read that statement as, you
4 know, after the onset of puberty, many of these kids
5 won't be transgender anymore. Right? That's not how I
6 read it, but I'm looking at the next section, do you...

7 Oh, okay. I get it. This is for untreated
8 people.

9 Q Okay. Explain that. What do you mean by
10 that?

11 A Okay. So this is a description of kids who
12 may show cross-gender treatment, but that -- but unless
13 they really meet the treatment -- they're looking at
14 kids who have cross-gender behavior, but not so much as
15 to qualify as being transgender.

16 Q I see.

17 A Okay? So they're saying -- it's saying,
18 therefore, hold the fort with prepubertal kids.

19 Q So --

20 A Because --

21 Q Go ahead.

22 A Because that some kids will act in a
23 prepubertal way, act in their birth sex later, but
24 they're not really the same. And so what they're saying
25 here is don't encourage that kid to do a complete social

1 transition as a prepubertal kid because that kid may not
2 turn out. That's one of the -- one of the reasons for
3 waiting until the beginning of puberty before making
4 distinctions about how you present -- how your kid
5 should present.

6 But this may have changed in the
7 recommendations because some of the people who have been
8 involved in the recommendations are stronger believers
9 in letting a kid present themselves whatever way they
10 want.

11 Q And by social transition, do you mean, for
12 example, a biological boy, if he were to socially
13 transition, that means fully identifying as a girl --

14 A Right.

15 Q -- as a gender identity; correct?

16 A Right.

17 Q Okay. And just so I read this correctly, in
18 1.2, we're talking about a group of children who have
19 experienced some gender dysphoria or have been diagnosed
20 as having gender identity disorder but have not
21 identified as the other gender. Would that be a fair --

22 A Well, they haven't -- their degree of
23 identification with the other gender is less.

24 Q And but just reading this here, their degree
25 of identity with the other gender is less, but still

1 enough for them to have been diagnosed with gender
2 identity disorder; correct?

3 A Right.

4 Q Okay. So --

5 A And if there's any reason why you have to have
6 incredibly skillful psychologists and testing
7 psychologists, it's over stuff like this.

8 Q Meaning, you have to have an incredibly
9 skillful --

10 A Someone who can follow this kid and see if the
11 kid conforms to those who really are going to change
12 their gender identity at post puberty and be treated
13 according to the protocol, or we're going to wait it out
14 and see what happens.

15 Q Now, based on that statement, I want to ask a
16 follow-up. Would a non-psychologist, let's say a person
17 with a high school diploma, for example, be able to make
18 that determination that you just described?

19 A No.

20 MR. GANNAM: Let's break for lunch.

21 (A luncheon recess is had from 1:30 p.m. to
22 2:04 p.m.)

23 BY MR. GANNAM:

24 Q All right. Are we back on?

25 All right, Dr. Spack. Going back to your

1 declaration, Exhibit 36, I want to ask you a few more
2 questions about it. Can we look at page three and
3 numbered paragraph seven that begins "multiple
4 professional organizations."

5 Do you see that?

6 A Yep.

7 Q All right. In that paragraph, it says [as
8 read]: Multiple professional organizations have issued
9 evidence-based guidelines and standards of care for
10 treating transgender and nonconforming children and
11 adolescents.

12 Did I read that correctly?

13 Did I read that correctly, Dr. Spack?

14 A Yes.

15 Q Next, you write [as read]: I am familiar with
16 these guidelines and standards which include the World
17 Professional Association for Transgender Health, the
18 American Psychological Association, the American
19 Psychiatric Associate, the American Medical Association,
20 and the Endocrine Society.

21 Did I read that correctly?

22 A Yes.

23 Q Now, these standards and guidelines of care
24 that you have identified in this paragraph, I want to
25 take them one by one. Beginning with the last one, the

1 Endocrine Society guidelines are the guidelines attached
2 to your declaration as Exhibit B; correct?

3 A No.

4 Q No. What are the Endocrine Society
5 guidelines?

6 A Well, it's ten years newer than that.

7 Q What would be the date, then?

8 A November -- it's actually 2018.

9 Q Now, those guidelines are not attached to your
10 declaration; correct?

11 A Right. And I'm sorry about that. I
12 thought -- I didn't realize that they had actually come
13 out.

14 Q The World Professional Association for
15 Transgender Health, is that the same as WPATH?

16 A Correct.

17 Q And do you say WPATH to shorten that? How do
18 you pronounce that?

19 A That's what generally is said.

20 Q WPATH. Okay. I'm going to show you a
21 document that I will mark as Exhibit 37.

22 (Exhibit 37 was marked.)

23 BY MR. GANNAM:

24 Q This document has WPATH on the top left and on
25 the cover it says [as read]: Standards of care for the

1 health of transsexual, transgender, and
2 gender-nonconforming people.

3 Lower right along the edge, it reads "version
4 seven." Did I say that correctly?

5 A Um-hum.

6 Q And when I open to the first page after that
7 cover, I see [as read]: Copyright 2012, World
8 Professional Association for Transgender Health, WPATH.

9 Am I reading that correctly?

10 A You are. And I don't know what the frequency
11 of publication is to be able to -- do you know whether
12 this is most recent issue?

13 Q Well, that was going to be my question for you
14 since you say in your declaration that you're familiar
15 with the guidelines and standards and you identified
16 World Professional Association for Transgender Health.
17 I wanted to ask you, are these the most current WPATH
18 standards of care?

19 A Look, they don't usually come out that
20 frequently.

21 Q Have you seen this version that I marked as
22 Exhibit 37?

23 A I believe I have.

24 MR. MIHET: Dr. Spack, would you be able to
25 speak up just a little bit? I'm having a hard time

1 hearing you.

2 THE WITNESS: All right.

3 MR. MIHET: Thank you.

4 THE WITNESS: All right. Here we go. Page
5 ten.

6 BY MR. GANNAM:

7 Q Okay. Is there something on page ten you
8 wanted me to look at?

9 A Yeah. I'm just looking at...

10 Q Let me do this. I can represent to you that
11 when I go to the WPATH website, this is the latest
12 version available to me, but it's not my testimony that
13 matters. So I just -- my question is, Are you aware of
14 any later version than what is in front of you as
15 Exhibit 37?

16 A I am not.

17 Q What is the significance of WPATH in this
18 field that you practice in?

19 MR. WILLIAMS: You mean the organization?

20 BY MR. GANNAM:

21 Q The organization.

22 A The organization is really mixed. It does not
23 define itself by specialty or skill. It is open to
24 people who are all manner of mental health professionals
25 and as well as endocrinologists, both pediatric and

1 adult. And even some surgeons.

2 Q Is it a membership organization?

3 A Yes.

4 Q Are you a member?

5 A Yes, actually.

6 Q How long have you been a member?

7 A I would say 15 years.

8 Q As you sit here, can you tell me whether this
9 is the version you were referring to in paragraph seven
10 of your declaration?

11 A Yes, I believe it is.

12 Q Are you aware of anything -- strike that.

13 Will you look at page eight of this WPATH,
14 Doctor? In the bottom paragraph, the fourth line down
15 is the word "often."

16 Do you see that?

17 A [As read]: Often with the help of
18 psychotherapy, some individuals integrate their trans-
19 or cross-gender feelings into the gender role they were
20 assigned at birth and do not feel the need to feminize
21 or masculinize.

22 Q Those last three words were "masculinize their
23 body"; correct?

24 A Correct.

25 Q Is that still a true statement in 2019?

1 A Well, knowing who these people are who wrote
2 it, I think that it's a mistake not to define the age
3 that they're talking about.

4 Q All right. What clarification would you add
5 to make that sentence true in your opinion?

6 A Well, I would like it to say either -- and
7 this is the case in both adults and children. See, I
8 read this and I look at this and I see the adults that
9 I've cared for. Just a pure gut feeling, and a little
10 knowledge.

11 The two people who wrote -- the people who
12 wrote, who are in parentheses are all treaters of
13 adults. Bockting...

14 Q Meaning that the citation preceding this
15 sentence that reads [as read]: Bockting & Goldberg,
16 Bockting and Lev?

17 A Yes.

18 Q Is that who you're referring to?

19 A Yes.

20 Q And you know their practices or that they are
21 practitioners?

22 A Yeah.

23 Q Okay.

24 A And this distinguished practitioner. But I
25 don't know that they've ever seen a kid.

1 Q Now, you said, I believe a moment ago, that
2 the statement would be true with respect to children and
3 adults; correct?

4 A No, I didn't say that.

5 Q Okay.

6 A Or if I said it, I didn't mean it that way.

7 What I think that -- I think that they should
8 make clear who they're talking about. And if they're
9 talking about children and adults, say it.

10 MR. WILLIAMS: "They," the authors of this
11 Exhibit 37? Is that what you meant, Doctor?

12 THE WITNESS: Yeah.

13 BY MR. GANNAM:

14 Q Dr. Spack, who wrote these WPATH standards of
15 care? Or do you know?

16 A It'll say on front.

17 Q So on page one --

18 A Page one.

19 Q -- has a listing beginning with the name Eli
20 Coleman; is that correct?

21 A Right.

22 Q Now, your name is not on this list?

23 A No.

24 Q Okay. I see -- okay. I'm not going to count,
25 but I see roughly 20 or so people --

1 A Right.

2 Q -- maybe even 30?

3 A Okay. And I can --

4 MR. WILLIAMS: It goes all the way from
5 Coleman down to Ken Zucker; right? That whole list
6 of people? Is that what you're talking about?

7 THE WITNESS: Right. And out of this, and I
8 know just about everybody here. I see Peggy
9 Cohen-Kettenis. These are following who I would
10 trust with this -- with comments about children or
11 adolescence, would be: Peggy Cohen-Kettenis, Rob
12 Garofalo, Arlene Istar Lev, Heino Meyer-Bahlburg.
13 And I would delete Ken Zucker.

14 BY MR. GANNAM:

15 Q Okay. So understanding that this group of
16 people are listed as the authors here, going back to
17 page eight, the authors state -- let me read the whole
18 paragraph. [As read]: As the field matured, health
19 professionals recognized that while many individuals
20 need both hormone therapy and surgery to alleviate their
21 gender dysphoria, others need only one of these
22 treatment options and some need neither.

23 Did I read that correctly?

24 A Yes.

25 Q And then the next sentence reads [as read]:

1 Often with the help of psychotherapy, some individuals
2 integrate their trans- or cross-gender feelings into the
3 gender role they were assigned at birth and do not feel
4 the need to feminize or masculinize their body.

5 Did I read that correctly?

6 A Yes.

7 Q My question is, Does that statement, beginning
8 with "often with the help of," apply to minors; that is,
9 persons under the age of 18?

10 A I think -- well, depends what you call minors.
11 If I were talking about minors who have just reached
12 puberty, I would say that it does not.

13 It's certainly not with the kind of certitude
14 that is written here that was much more applicable to
15 adults. And I'll tell you why. And that is that the
16 about-to-be -- the early pubertal kid who has major
17 issues about gender identity, as puberty hits, they have
18 a profound panic. My own philosophy about this is that
19 what happens is they've been told that when they got
20 through puberty, they wouldn't feel gender dysphoric
21 anymore. And the fantasy that they're going to turn
22 into the other gender is not realized and they
23 decompensate.

24 But the adults, their bodies are essentially
25 finished. And being finished, they feel they have more

1 choice. Do you know what I mean? My body's finished
2 and, well, am I going to go through all this that's
3 going to not do such a great job of having me look like
4 a woman or like a man. And I've seen it. I mean, I've
5 seen these -- they -- of course, they may be amendable,
6 more amendable to psychotherapy because the
7 psychotherapist is pointing out to them all the issues
8 and problems and challenges and physical and maybe
9 familial and everything else.

10 So I have trouble when I read somebody who
11 writes with this kind of certitude, knowing that the
12 kids I see are in terror.

13 Q Well, let me ask you about --

14 MR. WILLIAMS: What was that last word?
15 Terror?

16 THE WITNESS: Yeah.

17 MR. WILLIAMS: Oh.

18 BY MR. GANNAM:

19 Q This statement on page eight, if it were
20 revised to leave off the first qualifier there "often,"
21 and I just began with the word "some," and I changed it
22 as follows: Some prepubertal children integrate their
23 trans- or cross-gender feelings into the gender role
24 they were assigned at birth and do not feel the need to
25 feminize or masculinize their body.

1 Would that be a true statement?

2 MR. WILLIAMS: Object to the form of the
3 question as an improper hypothetical which assumes
4 speculative facts, frankly.

5 Go ahead and give an answer to the question,
6 if you can, Doctor.

7 THE WITNESS: Well, "some," would apply to
8 anything. But the way this is written is more like
9 "often." And I would never write -- I could accept
10 it writing with "some." But if they -- but I would
11 not accept it being included in "often."

12 BY MR. GANNAM:

13 Q Understood. Let me move on then and look at
14 something a little more specific. Page 11 contains the
15 beginning of a section titled "differences between
16 children and adolescents with gender dysphoria."

17 Do you see that?

18 A Yep.

19 Q First sentence [as read]: An important
20 difference between gender dysphoric children and
21 adolescents is in the proportion for whom dysphoria
22 persists into adulthood. Gender dysphoria during
23 childhood does not inevitably continue into adulthood.

24 Let me stop there. Have I read that
25 correctly?

1 A Yes.

2 Q And do you agree with those two sentences so
3 far?

4 A Yes.

5 Q And just so we're clear, when this uses the
6 term "gender dysphoria," are we to understand that to
7 mean a disagreement or incongruency between the child's
8 biological sex and the child's gender identity or the
9 gender the child believes the child is closer to or
10 matches better?

11 A I think it gets the statement across.

12 Q So let me continue. It says [as read]:
13 Rather, in follow-up studies of prepubertal children,
14 mainly boys, in parentheses, who were referred to
15 clinics for assessment of gender dysphoria, the
16 dysphoria persisted into adulthood for only six to
17 23 percent of children.

18 Did I read that correctly?

19 A Yes.

20 Q And then after the long citation -- or after
21 the citation -- actually, just go down to the sentence
22 begins "newer." It says [as read]: Newer studies, also
23 including girls, showed a 12 to 27 percent persistence
24 rate of gender dysphoria into adulthood.

25 Did I read that correctly?

1 A Yeah.

2 Q Now, this document shows a date of 2012 on it.
3 Are you aware of any studies that alter these numbers
4 that are presented here as the range of boys and girls
5 whose gender dysphoria persists into adulthood?

6 A No. But I'm always -- and I don't disbelieve
7 the basic point here. But I do want to point out that
8 sampling, in other words -- well, I trust
9 Cohen-Kettenis.

10 When I read Zucker, makes me wonder because
11 his patients are coming into a place that says
12 psychiatric clinic.

13 However, let's go -- let's go on because the
14 key thing is what they say about adolescents.

15 Q Right. Well let me read that, then, the next
16 paragraph begins [as read]: In contrast, the
17 persistence of gender dysphoria into adulthood appears
18 to be much higher for adolescents. No formal
19 prospective studies exist.

20 Did I read that correctly?

21 A You did. And I think that this -- pairing
22 these two makes perfect sense.

23 Q "These two" meaning that first paragraph --

24 A That first paragraph.

25 Q -- and the two sentences I just read?

1 A Yes. And it has some obligation. Just the
2 fact that many of these kids won't persist doesn't mean
3 they don't need support or doesn't mean that if they are
4 very much compromised by, if not allowed to live in the
5 opposite role, even if it's temporary, it should be
6 allowed.

7 Q So you're speaking of some ethic of client
8 determination or freedom here?

9 A Right.

10 Q Okay.

11 A And what you see here, and this is really
12 important, why did the Dutch, why do we, why do all the
13 places around the world that are doing pubertal
14 suppression start with the first sign of puberty? And
15 the reason for that is the data show that a kid who
16 holds on to the idea that he's in the wrong or she's in
17 the wrong body at puberty are almost definitely
18 transgender.

19 Q Can I ask for your opinion on the second
20 sentence of that second paragraph that I just read "no
21 formal prospective studies exist." Is that still the
22 case?

23 A I would say that if anybody has that data, it
24 would be the group listed in the bottom. And let me
25 just see.

1 Q The bottom of...

2 A So you see, what they say is that -- now they
3 talk about -- see where it says [as read]: However, in
4 follow-up study with 70 adolescents --

5 Q Right.

6 A -- who were diagnosed with gender dysphoria
7 and given pubertal suppression? All continued with
8 actual sex reassignment. In other words, they were
9 suppressed in puberty, but when they -- they all wanted
10 the hormones consistent with their new gender identity.

11 Q And so there's a citation after that sentence
12 to de Vries, Steensma --

13 A Right.

14 Q -- Doreleijers.

15 A This is the -- you're seeing the names of the
16 Dutch group, de Vries, the psychiatrist is head of the
17 group. Steensma is a endocrinologist. I don't know the
18 next one. Cohen-Kettenis was the former leader of the
19 group. She's now retired.

20 Q So the statement in this 2012 document says
21 "no formal prospective studies exist." And I believe
22 you're saying this Dutch group would be the people to
23 ask if that's still the case?

24 A Right.

25 Q But as you sit here, do you know the answer?

1 A And I kind of have a feeling, is that they got
2 so involved in the pubertal suppression that they had no
3 doubt that these kids -- these kids would not have been
4 enrolled in their study if they didn't have the testing
5 that showed they are -- they're transgender. So I don't
6 know why they put it this way.

7 Q But are you aware, personally, of any studies
8 that exist or that came out after this statement that
9 the authors of this document made, which is that no
10 formal prospective studies exist?

11 A No. And part of that is that it's really --
12 it's -- they could be such studies, but you have Zucker
13 who would be one of the authors. And he was so eager to
14 treat that we don't really know what his patients were
15 like before treatment.

16 Q So apart from speculating about Zucker, you're
17 not aware of any --

18 A No.

19 Q -- formal prospective studies; correct?

20 A No.

21 Q Am I correct?

22 A I think so.

23 Q Okay. Thank you.

24 Let's -- the bottom of that page 11, it says
25 "as discussed in section four," do you see that?

1 A Yep.

2 Q It says [as read]: As discussed in section
3 four and by Zucker and Lawrence, 2009, formal
4 epidemiologic studies on gender dysphoria in children,
5 adolescents, and adults are lacking.

6 Do you agree with that statement?

7 A Yes.

8 Q And what does epidemiologic mean?

9 A Population studies.

10 Q Great. I'm going to show you a document I've
11 marked as Exhibit 38.

12 (Exhibit 38 was marked.)

13 BY MR. GANNAM:

14 Q This document is from the American Psychiatric
15 Association. The title is "a guide for working with
16 transgender and gender-nonconforming patients."

17 And what follows is sort of a table of
18 contents. This is from a website. I accessed it from
19 the American Psychiatric Association website. And my
20 question for you is, Is this guide, at least as it's
21 laid out here, the American Psychiatric Association
22 guidelines to which you refer in paragraph seven of your
23 declaration?

24 MR. WILLIAMS: Object to the form because
25 obviously Exhibit 38 is -- I don't know that he can

1 really answer your question, Roger, but...

2 THE WITNESS: So the only question really that
3 you can ask with a document like this is, Does
4 this -- does this table of contents conform to what
5 you would expect of a document on the subject by
6 the American Psychiatric Association.

7 BY MR. GANNAM:

8 Q Let me ask you this question: You refer in
9 numbered paragraph seven on page three of your
10 declaration to various guidelines and standards of which
11 you specifically identified the American Psychiatric
12 Association; correct?

13 A Right.

14 Q So --

15 A But I saw something a lot more than this.

16 Q Okay. And so is this a -- did the document
17 you saw, for example, have the same title as this
18 document, "a guide for working with transgender and
19 gender-nonconforming patients"?

20 A Yes. But you know when it says "view more"?

21 Q Right. Well, let me ask you next, then. Does
22 this listing of contents, understanding this was printed
23 from a website where you could click on each of these
24 things and view more, does this listing of contents
25 correspond to the document that you were referring to in

1 the -- in your declaration?

2 A Yes.

3 Q Okay.

4 A If I were writing this for them, these are
5 the -- these are the headings I would --

6 Q Let me ask you to look at the second to last
7 page of the document that I gave you where it says
8 "about the guide."

9 A About the guide.

10 Q Do you see that?

11 Under "disclaimer," the second paragraph, it
12 says [as read]: Many experts have studied gender and
13 sexuality throughout their careers. This toolkit
14 represents just one view of how to work with TGNC
15 patients, based largely on the WPATH standards of care.
16 Did I read that correctly?

17 A Okay. Yeah.

18 Q Is that consistent with your understanding of
19 the American Psychiatric Association guideline you refer
20 to in your declaration?

21 A It doesn't surprise me at all.

22 Q Okay. Understand, I haven't given you --

23 A No, but...

24 Q -- the entire document to work with. But are
25 you aware of some other document besides what I've given

1 you the title and contents of in this Exhibit 38 by the
2 American Psychiatric Association, that you're referring
3 to in your declaration?

4 A No, I'm not. And I was pleased to see the
5 topics and less pleased to see the text.

6 Q Understood.

7 A It doesn't -- that doesn't surprise me with
8 this organization.

9 Q Going to footnote asterisk in your
10 declaration, you identified in that footnote several
11 documents of which you are the author or co-author;
12 correct?

13 A Yes.

14 Q And you mentioned the first couple of them as
15 possibly containing some information relevant to your --
16 the contents of your declaration, generally speaking; is
17 that correct? Actually, strike that.

18 I'm just going to show you the document. This
19 is marked as Exhibit 39.

20 (Exhibit 39 was marked.)

21 BY MR. GANNAM:

22 Q The title is "management of transgenderism" in
23 a publication from JAMAnetwork.com. It says Norman P.
24 Spack, MD, discussant as the author. Is this the same
25 document identified in footnote asterisk as Spack, NP,

1 clinical crossroads, management of transgenderism, JAMA
2 2013-2019?

3 A Yes.

4 Q Okay. So this is a document you authored;
5 correct?

6 A Yes.

7 Q I wanted to ask you about the bold paragraph
8 at the top right appears to be some kind of abstract or
9 summary. Would that be fair to say?

10 A Yes.

11 Q It talks about gender identity disorder, or in
12 parentheses, transgenderism to begin the paragraph. Did
13 I read that correctly?

14 A Yes.

15 Q It says [as read]: Gender identity disorder
16 (transgenderism) is poorly understood from both
17 mechanistic and clinical standpoints. Awareness of the
18 condition appears to be increasing probably because of
19 greater societal acceptance and available hormonal
20 treatment.

21 Have I read that correctly so far?

22 A Um-hum.

23 Q Then it says [as read]: Therapeutic options
24 include...

25 And it gives a listing of male to female and

1 female to male therapeutic options; is that correct,
2 generally?

3 A Right.

4 Q I'm going to skip down towards the end, the
5 sentence begins "medical therapy." Did I say that
6 correctly? Or do you see that?

7 A Yes.

8 Q Now that sentence says [as read]: Medical
9 therapy for both FTM, defined above as female to male,
10 and MTF, defined above as male to female, can be started
11 in early puberty, although long-term effects are not
12 known.

13 Did I read that correctly?

14 A Yep.

15 Q Now, where it says medical therapy, is that
16 referring generally to the listing of therapeutic
17 options that appeared before it?

18 A Yes.

19 Q And you wrote this -- or this was published in
20 2013. Is the statement "although long-term effects are
21 not known" still a true statement?

22 A Yes.

23 Q All right. I'm going to show you another
24 document now. This, I will label Exhibit 40.

25 (Exhibit 40 was marked.)

1 BY MR. GANNAM:

2 Q Will you take a look at Exhibit 40 for me?

3 The title of this document is "serving transgender
4 youth, challenges, dilemmas, and clinical examples."

5 The main heading says "HHS public access,
6 author manuscript."

7 Below that, it says [as read]: Published in
8 final edited form as professional psychology -- I guess
9 that would be research and practice, 2015.

10 Is this the document identified in your
11 declaration footnote, the first document there, as
12 Tishelman, A. C., Kaufman, R., Edwards-Leeper, L.,
13 Mandel F. H. --

14 A Yes.

15 Q -- Shumer, D. H., and Spack, N. P., 2015?

16 A Yes.

17 Q Yes. Thank you. So this is a document you
18 co-authored; correct?

19 A Correct.

20 Q Will you look at page four, please? The first
21 paragraph, the last sentence begins members of the GeMS
22 team.

23 Do you see that?

24 A Page four?

25 Q Yes.

1 A First paragraph.

2 Q Last sentence of the first paragraph.

3 A Okay.

4 Q First of all, what is GeMS?

5 A Gender Management Service. That is the name
6 of our clinic where we see kids in gender --

7 Q In Boston?

8 A Yeah. Boston Children's.

9 Q All right. So members of -- do you say GeMS?
10 Is that how you pronounce it?

11 A Yeah.

12 Q Okay. So members of the GeMS team have played
13 a role in the development of standards and guidelines,
14 including as a member of the active APA task force to
15 develop guidelines for psychological practice with
16 transgender and gender-nonconforming clients. Did I
17 read that correctly?

18 A Yes.

19 Q Now, did you personally participate on that
20 APA task force?

21 A No.

22 Q Are you aware of that task force and the
23 document that it was bearing?

24 A Yes. And I'll tell you why.

25 Q Okay.

1 A We benefited from -- you can see the names of
2 the Dutch up above who gave us their testing materials
3 to determine gender -- gender identity and gender
4 dysphoria. And we had it translated from the Dutch.
5 But we needed to be able to -- we got permission from
6 the Dutch that the task force was literally translating
7 and establishing something that we could pass around to
8 all these new 70 clinics.

9 Q I see. We'll come back to those APA
10 guidelines. I want to ask you a few more questions
11 about this document.

12 Will you look at page seven?

13 MR. WILLIAMS: Page seven.

14 THE WITNESS: Yep.

15 BY MR. GANNAM:

16 Q Under the heading "medical intervention," do
17 you see that?

18 A Yes.

19 Q The second paragraph under that heading, the
20 last sentence begins with the word "nevertheless."

21 Do you see that?

22 A Okay.

23 Q It says [as read]: Nevertheless, an
24 adolescent who has initiated puberty blockers can decide
25 to terminate the intervention and allow physiological

1 changes to occur as they would have had the medical
2 intervention never been initiated.

3 Did I read that correctly?

4 A Yes.

5 Q Now, just for the record, puberty blockers, is
6 that what we've already discussed as drugs that can be
7 administered to a child to stop the progression of
8 puberty?

9 A Yes.

10 Q And this statement seems to be claiming that
11 puberty blockers can be administered, but then stopped,
12 and then --

13 A It's completely reversible.

14 Q Meaning puberty will begin where it left off,
15 so to speak?

16 A Exactly.

17 Q Okay. Now, is there any study or research
18 pointing to what harms may occur in a person who delays
19 puberty such that they take puberty blockers and then
20 stop puberty blockers and recommence puberty, but at an
21 older age than they otherwise would have or then their
22 peers went through puberty?

23 A It would only be an issue if the -- if they
24 were on them all the way through puberty and stopped
25 them close to a time of desired fertility. Because when

1 you're on them, they're shutting down the whole system.

2 Q What do you mean "the whole system"?

3 A The whole system that leads to
4 spermatogenesis, leading to testosterone production.
5 And in the case -- in our usual case, that genetic male
6 who affirms a female identity, around age 17 and 18, is
7 going to want to have what I call a feminizing
8 genitoplasty. In other words, female external genitals.

9 In the course of doing that, the testes are
10 removed.

11 Q Which is an irreversible --

12 A It's as irreversible as it gets. But the
13 point is, it's -- so the issue for us in this regard is
14 usually moot because the patients are told well ahead of
15 time, if you go this far, they're going to have to do
16 a -- remove the testes.

17 Q So would it be accurate to say the effects of
18 puberty blockers are reversible up to a point in time
19 after which they no longer would be reversible?

20 A Well, they would be -- believe it or not,
21 they -- your ability to generate the hormone that you're
22 blocking will still be there.

23 Q Okay.

24 A Okay? The point is that if someone besides,
25 well, I'm not sure I want to have feminizing

1 genitoplasty, but please keep the blocker going so I
2 don't have to shave and I don't this or that, and then
3 decided at some point wanted to procreate, given a few
4 years, all spermatogenesis would reoccur.

5 Q So in that sense, it's reversible in that
6 those biological processes will kick in again?

7 A Right.

8 Q Okay. Next paragraph on the same page seven
9 reads [as read]: Only with an older adolescent,
10 typically around age 16, are irreversible interventions
11 initiated, and only after psychotherapy and a careful
12 psychological evaluation has taken place.

13 Did I read that correctly?

14 A Yes.

15 Q Can you kind of summarize for me, what are the
16 irreversible interventions that could be initiated
17 around age 16?

18 A So I'm trying to see if they're referring to
19 males or females; right? It looks like they are.

20 So, they're talking about the institution of
21 the actual hormones of the affirmed gender. Okay? And
22 once you do that, you get breasts whether you want them
23 or not. You get body hair, you get larynx. You get
24 lower voice if you get testosterone.

25 Q Did you just describe some examples from both

1 sexes?

2 A From both, yeah.

3 Q Okay.

4 A And so it's going to happen. And that's why
5 we test them before we -- because this is the first time
6 that we, at around age 15, 16, the first time that we do
7 give irreversible treatment.

8 Q So within the standards or guidelines of
9 practice that you operate under, there is a -- a range
10 of ages under 18, you say around 16 here, at which a
11 minor can elect to kind of cross that threshold of
12 irreversible treatment, beginning with cross-sex
13 hormones. Is that --

14 A Yeah. I would say it's 15 to 16.

15 Q Okay.

16 A Would be the case.

17 And 18 is a timing of surgery, if desired.

18 Q Now, is that a -- is 18 an absolute? Or are
19 there situations where persons under the age of 18 may
20 elect and proceed with surgical interventions?

21 A Yes. You raise an interesting point. We used
22 to say 18 because that was a legal age of consent.
23 However, the surgeons have told us that the worst
24 outcomes that they have in feminized genitoplasty where
25 the patients have to use dilation for four or five times

1 a day for a year that we, in the past, were sending
2 girls to the surgeons during the summer before their
3 going off to college, if 18.

4 They tell us that the worst results they have
5 are with them because the first year requires strict
6 adherence to the dilation protocol. They can't do it at
7 school and they don't.

8 Q Can you, just for the record, explain what
9 you're talking about, about the dilation protocol for --

10 A Well, they create a new vagina, and believe it
11 or not, using inverted scrotal tissue.

12 Q This would be in a male to female --

13 A A male to a female.

14 Q -- surgery.

15 A Right.

16 Q Okay.

17 A So that tissue needs to be expanded to be
18 functional, for them to be sexually functional.

19 And it works. But unless the initial dilation
20 after the surgery is required to keep the patent.

21 Q What does that dilation involve? Is it
22 something that would have to be --

23 A It looks a lot like a vibrator really.

24 They may have a series of gradations of size.

25 But they have to do it. If they don't do it, what

1 happens is they go back to the doctor, the spring after
2 their operation, and the doctor finds he can't get the
3 dilator in, in which case, he has to do another surgery
4 to cut a slit in the upper part of the vaginal wall.
5 And it's a mess.

6 So we've kind of shifted and said, Okay, a
7 girl has to be in her mother's territory for the first
8 year after surgery, because the mother can make sure
9 that the girl does the dilatation. Otherwise, the girl
10 goes off to school, is so happy to be free, this or
11 that, and doesn't do it.

12 Q And can you articulate a reason why the -- why
13 a child or adolescent, I guess, who has undergone this
14 surgery might not continue with the dilation? Is it
15 laziness? Is it painful? What is that?

16 A It's laziness. It's the fact that you have to
17 be -- first of all, if you go to college, they have to
18 have a single room. They have to dilate on their bed.
19 You can't share a room with someone doing that.

20 Secondly, their classes are so much farther
21 from their dorm to do four or five dilations a day.
22 It's just physically impossible.

23 Q I see. On page eight --

24 MR. WILLIAMS: We're still on Exhibit 39? 40.

25 MR. GANNAM: 40, actually.

1 BY MR. GANNAM:

2 Q I'll just read the whole paragraph. The
3 second -- or the first full paragraph --

4 A What page?

5 Q Page eight. Begins "a common scenario."

6 A Okay.

7 Q [As read]: A common scenario for GeMS to
8 recommend puberty blockers -- strike that. Let me start
9 over.

10 [As read]: A common scenario is for GeMS to
11 recommend puberty blockers when the youth and/or the
12 parent may feel that it would be best to start cross-sex
13 hormone therapy instead. The delay of puberty, rather
14 than the immediate onset of the puberty of choice,
15 (utilizing cross-sex hormones) is sometimes difficult
16 for the youth or family to accept. This is an area
17 where we currently have little research to guide us and
18 the decision of whether to block puberty or instead move
19 forward with an affirmed gender, i.e., cross-sex
20 hormones, must be weighed carefully.

21 Did I read that correctly?

22 A Yeah.

23 Q Is that still a true statement that this is an
24 area where we currently have little research to guide
25 us?

1 A Because very few people should, would, or
2 should do it this way.

3 And the reason is that, say, you're dealing
4 with a genetic female. And they want to go right
5 forward into a male puberty -- into a male puberty.
6 Okay? If you don't block them while you're doing it,
7 there's going to be menstruating. They have to
8 understand that she's going to be menstruating like a
9 female, she's going to get progressively large breasts
10 that may require a more complex operation. And it's why
11 my way of doing things and most of the people follow me
12 is that I run the blockade medicine all the way until
13 the gonads come out. So therefore, the person who's
14 male to female has no masculinization, but at age 15 is
15 getting feminization. So there's a person who comes out
16 looking perfectly female in the end because they don't
17 have any male characteristics while they're going
18 through a feminine puberty.

19 So, you know, if I had a situation like this,
20 I would say forget it.

21 Q So the statement that "we currently have
22 little research to guide us" refers specifically to that
23 decision of whether to simply block puberty or move
24 ahead with cross-sex hormones?

25 A Yeah. I remember seeing this and I said,

1 well, I understand that you could put it in there
2 because unfortunately, a lot of people can't afford the
3 blocking hormones. And we've got to do something. So
4 this is what we do, is to give them the hormones they
5 desire. They get some of those characteristics. But
6 it's not ideal.

7 Q Now, the last sentence reads [as read]: Aside
8 from the irreversible nature of cross-sex hormone
9 initiation, this intervention has significant
10 ramifications for fertility while puberty blockers do
11 not.

12 Did I read that correctly?

13 A Yes. For example, you have a -- you give
14 excess or masculinizing testosterone levels to a genetic
15 female, you're going to end up making her what we call
16 polycystic ovary disease. She's going to have big cysts
17 in the ovaries. She's going to have trouble conceiving.

18 Ironically, the just shutting down puberty
19 just lift it up when you want to...

20 Q Will you look at page 11, please? The heading
21 reads "the service gaps and evolution of practice."

22 Did I read that correctly?

23 A Yeah.

24 Q Now, this reads [as read]: Watching the
25 clinical services grow is rewarding, especially when

1 they translate into more contented and peaceful lives
2 for youth and their families. Nevertheless,
3 evidence-based practices are aspirational when a new
4 field emerges with no guiding clinical precedent.
5 Controversies among providers in the mental health and
6 medical fields are abundant.

7 Did I read that correctly?

8 A Yes.

9 Q Okay. Now, the next sentence reads [as read]:
10 Drescher & Byne, 2012, and Stein, 2012, provide
11 excellent discussions of issues of consensus versus
12 continued controversies. These include differing
13 assumptions regarding whether early intervention with
14 gender variant youth can encourage the assistance, and
15 whether that is an appropriate practice.

16 Did I read that correctly?

17 A Yep.

18 Q It continues [as read]: Other areas of debate
19 include the age at which children or adolescents should
20 be encouraged or permitted to socially transition,
21 whether cross-sex hormones and surgery should be offered
22 to youth, and if so, at what age, whether parental
23 consent be required for these medical interventions, and
24 whether mental health involvement be required including
25 psychological evaluation prior to each stage of medical

1 intervention.

2 Did I read that correctly?

3 A Yes.

4 Q [As read]: These issues are complex and
5 providers in the field continue to be at odds in their
6 efforts to work in the best interest of the youth they
7 serve.

8 Did I read that correctly?

9 A Yes.

10 Q And this is a 2015 document. Is it still the
11 case that these complex issues of disagreement continue?

12 A Yes. But, you know, I would like to make one
13 point. This is why we're a team. This is why every
14 patient is discussed before they're in the clinic
15 they're going to come in at, and everyone has a role
16 about how the patient feels about this and helps to make
17 these complex decisions.

18 There are also a reason why we're here today,
19 in part, because of alternative ways of going at this
20 issue, albeit it somewhat of an early issue. Who knows.
21 This is what I -- I can worry about this because I think
22 in time we can get the right answers to it.

23 But I can't worry for my patients who's seeing
24 somebody who may have a theological basis for how
25 they're going to treat the patient, or where I worry

1 whether the person who is benefiting the most is the
2 patient or the clinician. And these are tough-enough
3 issues, even when you have all the tools.

4 The people on my team can handle these
5 decisions with this stuff.

6 Q The next paragraph begins "an important
7 priority."

8 Do you see that?

9 A Yep.

10 Q [As read]: An important priority. Going
11 forward is to develop research to enhance our
12 understanding of what typifies this population of
13 children and their developmental course and patterns and
14 to examine the long-term outcomes of treatment.

15 Did I read that correctly?

16 A Yes.

17 Q [As read]: The field needs to better
18 comprehend which children are most likely to have a
19 lifelong and persistent identification with a different
20 gender than the one they were assigned versus those who
21 cease to self identify as transgender over the course of
22 time.

23 Did I read that correctly?

24 A Yes.

25 Q [As read]: Although some information is

1 available -- there's a parenthetical with several
2 sources listed -- much more research in this area is
3 needed.

4 Did I read that correctly?

5 A Yes.

6 Q Is that still a true statement, that much more
7 research in this area is needed?

8 A Yes. But what you're reading is the marching
9 orders of why we would grant.

10 Q Okay. You're talking about the \$6 million NIH
11 grant that was awarded?

12 A Right.

13 Q All right. I'm going to show you a document
14 I've marked as Exhibit 41.

15 (Exhibit 41 was marked.)

16 BY MR. GANNAM:

17 Q All right. This document is titled "practice
18 parameter on gay, lesbian, or bisexual sexual
19 orientation, gender nonconformity, and gender
20 discordance in children and adolescence."

21 At the top is a filing header from this
22 lawsuit reading case 8:17-cv-02896, document 24-4, filed
23 January 12, 2018.

24 And I'll represent to you that this is a
25 document filed in this lawsuit by the City of Tampa as

1 one of the documents referenced in its ordinance. Have
2 you seen this document before?

3 A No. Who is the author?

4 Q The American Academy of Child & Adolescent
5 Psychiatry, AACAP.

6 A Without listing any --

7 Q I'm sorry?

8 A Without listing any particular individuals?
9 This is like a -- this is a -- is this like a, say,
10 statement by...

11 Q Well, if you look on page 971 towards -- 971
12 using the page numbering at the bottom of the pages.

13 MR. WILLIAMS: Excuse me. Just a second so I
14 can catch up. Okay.

15 BY MR. GANNAM:

16 Q You see where it says "parameter limitations"?

17 A Okay.

18 Q And then below that is a box, a gray box,
19 "this practice parameter was developed by"?

20 A Okay. It's like attachments.

21 Q Correct. So are you familiar with this
22 document?

23 A No.

24 Q You're not? Okay. Fair enough. I'll move
25 on, then. All right. Now I'm going to show you a

1 document previously marked as Exhibit 33 when we had the
2 deposition of Dr. Glassgold. And it's an APA document,
3 "guidelines for psychological practice with transgender
4 and gender-nonconforming people."

5 (Off the stenographic record.)

6 MR. WILLIAMS: I can't tell. Is that the '09
7 document?

8 MR. GANNAM: This is the 2015 APA document.

9 MR. WILLIAMS: Oh, the 2015. Okay.

10 MR. GANNAM: For the record, it was filed in
11 this case at document 135-1.

12 (Exhibit 33 was marked in a previous
13 deposition.)

14 BY MR. GANNAM:

15 Q Now, do you recall when I asked you about your
16 article that referred to GeMS team members participating
17 on the APA task force on the development of guidelines
18 for psychological practice with transgender and
19 gender-nonconforming people?

20 A So you're looking at number 33?

21 Q Yeah. Let me ask you -- let me back up.

22 Will you look at document 40 again, which is
23 your article and the HHS public access page. And go to
24 page four of Exhibit 40. And on page four, the first
25 paragraph, last sentence --

1 MR. WILLIAMS: Page four?

2 MR. GANNAM: -- of page four of document 40.

3 MR. WILLIAMS: Oh, this one. Okay.

4 BY MR. GANNAM:

5 Q I think you're on the right document.

6 The last sentence of the first paragraph reads
7 "members of the GeMS team."

8 Do you see that?

9 A I'm sorry, what page?

10 MR. GANNAM: May I point to it, Rob?

11 MR. WILLIAMS: Sure.

12 BY MR. GANNAM:

13 Q We're on page four. And this, "members of the
14 GeMS team."

15 Do you see that?

16 A Okay.

17 Q It reads [as read]: Members of the GeMS team
18 have played a role in the development of standards and
19 guidelines, including as a member of the active APA task
20 force to develop guidelines for psychological practice
21 with transgender and gender-nonconforming clients.

22 Did I read that correctly?

23 Dr. Spack, did I read that correctly?

24 A Yep.

25 Q Now, this document that I handed you just a

1 moment ago marked Exhibit 33, is that the document being
2 referred to that your GeMS team played a part in?

3 A Yes.

4 Q I didn't hear if you answered or not.

5 A Yes.

6 Q Oh, okay. Are you familiar with these APA
7 guidelines?

8 A I have seen them, yes.

9 Q Okay. Have you had an opportunity to develop
10 any opinions as to their accuracy?

11 Let me stop there.

12 A Well, if I looked at this, I looked at it
13 casually. I'm not a great fan of the APA.

14 Q Okay. Fair enough. Let me just ask you some
15 specific questions about it, then.

16 Will you look at, using the page numbering at
17 the bottom of the pages, page 842 of the document.

18 MR. WILLIAMS: You're talking about that?

19 BY MR. GANNAM:

20 Q Yeah. Exhibit 33.

21 A Okay. Okay.

22 Q On page 842, the first full paragraph begins
23 with "a clear distinction."

24 Do you see that?

25 A Um-hum.

1 MR. WILLIAMS: Are you going to read that into
2 the record, Roger, since I don't have a copy? It
3 might be helpful for me to follow that.

4 MR. GANNAM: Yeah. I'll read the whole
5 paragraph.

6 MR. WILLIAMS: Thank you.

7 BY MR. GANNAM:

8 Q Are you with me on the page, Dr. Spack?

9 A (No verbal response.)

10 Q Is that a yes? Sorry. Just for the record.
11 Did you say yes, Dr. Spack?

12 A Yes.

13 Q Okay. Thank you. [As read]: A clear
14 distinction between care of TGNC and gender-questioning
15 children and adolescents exists in the literature. Due
16 to the evidence that not all children persist in a TGNC
17 identity into adolescence or adulthood, and because no
18 approach to working with TGNC children has been
19 adequately, empirically validated, consensus does not
20 exist regarding best practice with prepubertal children.

21 Did I read that correctly?

22 A Yep.

23 Q Now, I'm going to break that down into a
24 couple of pieces and ask you about it. First, where it
25 says TGNC, you understand that in this document, that

1 means transgender and gender nonconforming?

2 A Um-hum.

3 Q Okay. Great.

4 MR. WILLIAMS: You have to say yes.

5 THE WITNESS: Yeah. Yeah.

6 BY MR. GANNAM:

7 Q All right. So that's a yes?

8 A Yeah.

9 MR. WILLIAMS: For her benefit.

10 THE WITNESS: Yes.

11 MR. MIHET: And try to keep your speech up, if
12 you can. I'm still having a hard time hearing you
13 across the table.

14 MR. WILLIAMS: What did you say?

15 BY MR. GANNAM:

16 Q So it says [as read]: Due to the evidence
17 that not all children persist in a TGNC identity into
18 adolescence or adulthood...

19 So first, do you agree with that premise that
20 not all children persist with a TGNC identity? Do you
21 agree with that, Dr. Spack?

22 A Yep.

23 Q The next premise is [as read]: And because no
24 approach to working with TGNC children has been
25 adequately, empirically validated...

1 Do you agree with that premise, that no
2 approach to working with TGNC children has been
3 adequately, empirically validated?

4 A Yes.

5 Q Then the final statement is [as read]:
6 Consensus does not exist regarding best practice with
7 prepubertal children.

8 Do you agree with that conclusion that
9 consensus does not exist regarding best practice with
10 prepubertal children?

11 A I think it's poorly written because I don't
12 think that they're indicating what kind of practice
13 they're referring to. They should indicate -- we,
14 somebody, they, should indicate should we encourage
15 children to assume a social role, even if temperate.

16 Q Sorry, could you say that again?

17 A Well, it's not giving you a choice -- any idea
18 of what would be a potential way of dealing with
19 prepubertal children.

20 Q Okay. Well let me -- let me -- okay. So
21 apart from that criticism of the statement, is it
22 nonetheless a true statement that there is not a
23 consensus regarding the best practice with prepubertal
24 children?

25 A I would say the answer to that is yes. And

1 part of the reason our clinicians, like Zucker, who have
2 confused the physicians who would take care of these
3 children.

4 Q So it identifies, then, two distinct
5 approaches. If we skip down a sentence, it begins "two
6 distinct approaches."

7 Do you see that?

8 A Um-hum.

9 Q Is that a yes?

10 A (No verbal response.)

11 Q I'm sorry. I need you to say --

12 A Yeah.

13 Q I know it can be cumbersome.

14 But [as read]: Two distinct approaches exist
15 to address gender identity concerns in children.

16 Then after the citation it says [as read]:
17 With some authors subdividing one of the approaches to
18 suggest three.

19 Now, the next paragraph begins [as read]: One
20 approach encourages an affirmation and acceptance of
21 children's expressed gender identity.

22 Did I read that correctly?

23 A Yes.

24 Q And, in fact, in the middle of that paragraph,
25 it even refers to one of your articles; correct?

1 A Yes.

2 Q Okay. Now, the next paragraph says [as read]:
3 In the second approach, children are encouraged to
4 embrace their given bodies and to align with their
5 assigned gender roles. This includes endorsing and
6 supporting behaviors and attitudes that align with the
7 child's sex assigned at birth prior to the onset of
8 puberty.

9 Did I read that correctly?

10 A Yes.

11 Q Are you in agreement with the general
12 proposition that there are two approaches to prepubertal
13 children, one being to encourage and affirm the express
14 gender identity, and the other approach to encourage
15 children to embrace their given bodies that align with
16 their biological sex?

17 A Absolutely.

18 Q Okay. I want to ask you to look at page 843,
19 using the page numbers at the bottom. Have you found
20 page 843?

21 A Yes.

22 Q On the right column, in the last paragraph, it
23 begins "psychologists may encourage."

24 Do you see that?

25 A Yes.

1 Q If I skip down one, two, three sentences to
2 the sentence that begins with the word "emphasizing"?

3 A Yes.

4 Q You see that. Great. I'll read it. [As
5 read]: Emphasizing to parents the importance of
6 allowing their child the freedom to return to a gender
7 identity that aligns with sex assigned at birth or
8 another gender identity at any point cannot be
9 overstated, particularly given the research that
10 suggests that not all young gender-nonconforming
11 children will ultimately express a gender identity
12 different from that assigned at birth.

13 Did I read that correctly?

14 A Yes.

15 Q Do you agree with this admonishment or this
16 advice in this document?

17 A Yes.

18 Q Okay.

19 MR. WILLIAMS: Can we take a break real quick?

20 MR. GANNAM: Yes, we may.

21 (A brief recess is had from 3:18 p.m. to 3:30
22 p.m.)

23 BY MR. GANNAM:

24 Q Dr. Spack, can I ask you to go back to your
25 declaration, which is Exhibit 36?

1 A Okay. Whereabouts?

2 Q And page three, which is the section Roman
3 numeral two, "analysis and opinions." Are you there?

4 A Yes.

5 Q All right. Will you look at numbered
6 paragraph one? It reads -- are you at that paragraph?

7 A Yeah. "I have reviewed."

8 Q It says [as read]: I have reviewed the
9 declaration submitted by Dr. Bernard Hudson. From that
10 review, it is my professional opinion that the
11 declaration significantly misstates current medical
12 knowledge and practice regarding the standard of care
13 and treatment for transgender and gender-nonconforming
14 children and adolescents.

15 Did I read that correctly?

16 A Yes.

17 Q Does this paragraph mean that you disagree
18 with everything Dr. Hudson said in his declaration?

19 A No.

20 Q In your declaration, apart from this paragraph
21 one, do you identify any specific part of Dr. Hudson's
22 declaration that you disagree with?

23 A Do you have his declaration?

24 Q I'm asking if, in your declaration, after this
25 paragraph one --

1 A Oh, oh, oh, okay.

2 Q -- do you specifically identify any part of
3 his declaration that you disagree with?

4 A There's no significant evidence that any type
5 of therapy or treatment can change a person's gender
6 identity.

7 Q Where are you reading? Are you reading --

8 A Number four.

9 Q Okay. Number four reads [as read]: There is
10 no scientific evidence that any type of therapy or
11 treatment can change a person's gender identity. And
12 attempts to do so put patients at risk of serious harms,
13 including suicidality and depression.

14 Did I read that correctly?

15 A Yes, you did.

16 Q Now, are you telling me that that paragraph
17 disagrees with something in Dr. Hudson's declaration?

18 A Yes. He does not refer to the risks
19 associated with trying to shoehorn somebody into a
20 gender identity.

21 Q Now, in paragraph four when you say there's no
22 scientific evidence that any type of therapy or
23 treatment -- let me stop there.

24 Are you referring to what you earlier defined
25 as conversion therapy?

1 A Yes, but -- yes.

2 Q Okay. So when you talk here about -- well, it
3 says [as read]: Attempts to do so put patients at risk
4 of serious harms, including suicidality and depression.

5 Did I read that correctly?

6 A Yes.

7 Q Now, you testified earlier that you're not a
8 mental health professional. But I wanted to ask you if
9 you know, is there some risk of harm, including
10 suicidality and depression that can result from
11 psychotherapy in general?

12 A That's true, but this is a particularly
13 vulnerable population.

14 Q Okay. So you do agree it's true that
15 psychotherapy in general can present some risk of harm
16 of suicidality and depression to patients?

17 A Yes.

18 Yes.

19 Q Now, can you quantify for me what increased
20 risk, if any, from what you defined as conversion
21 therapy for suicidality and depression exists?

22 A Well, it may not be particularly scientific,
23 but the first -- the first association I had with
24 conversion therapy, and actually was the only one so
25 far -- I'll tell you what happened.

1 This was a 18-year-old female who affirmed a
2 male identity. The parents were very active in their
3 Catholic church and tended to go along with some of the
4 sign waving that the MassResistance organization was
5 holding up in front of -- the objection of that
6 organization to gay and lesbian teachers being allowed
7 to teach in the schools.

8 I actually found this kid to be a fairly
9 jovial person. I actually didn't consider him at high
10 risk. His parents felt that he should have a go of
11 therapy with a person who believed very much in
12 conversion therapy and was also tied very much to this
13 particularly right wing Catholic group that had made a
14 fair bit of noise in Massachusetts.

15 Q That's MassResistance?

16 A MassResistance.

17 Q Okay.

18 A Hadn't seen him in a while. And I spoke to
19 his parents. I said, How is he doing. Mom said, Well,
20 I think he's developed a drug problem. Are you getting
21 any help for that? We're going to try.

22 The next thing I heard, that he had still seen
23 the therapist who was working on trying to convert him
24 back to female. And the next thing I knew, I got a call
25 from the parents that he had overdosed on heroin and

1 died.

2 Now, this is a complex situation, obviously.
3 Any heroin death can often be mis -- taking too much or
4 too strong a dose. And I understood that.

5 But I met with the parents and they were
6 beside themselves. And they particularly put blame on
7 themselves for forcing an issue and finding a person who
8 would be their agent in that.

9 So I have a particularly -- I really liked
10 this kid. This kid was a great hockey player and played
11 with boys. I was a hockey player. And ironically, or
12 maybe perhaps expectedly, the parents abandoned the
13 MassResistance type -- abandoned that church altogether.
14 They came to every lecture I ever gave in Greater
15 Boston. They became the most active people to meet with
16 new, newly diagnosed transgender kids. It was just
17 quite amazing as to what happened. But just, what can I
18 say? It was something that's very, very hard to forget.

19 Q As the parent of an 18 year old, I can't
20 imagine. So obviously, that would be a situation that
21 is sad on many, many levels. Can you say, as you sit
22 here, that the drug overdose was caused by what you call
23 the conversion therapy this young person received?

24 A I can't. I can't. All I can say is this:
25 The initiation of drug treatment -- of drug taking --

1 this kid wasn't taking anything before -- was coincident
2 with -- the actual overdose with heroin, I never ascribe
3 the overdose to a voluntary suicide. Just can't.

4 Q Right.

5 A There's too many variables.

6 Q And so scientifically speaking, of course,
7 coincident does not mean causal, obviously?

8 A Of course.

9 Q Now, do you know anything about this person
10 that the parents chose as therapist? Was this person
11 licensed or a religious leader? Or do you know anything
12 about the person?

13 A I think the person came -- was recommended
14 through the church and through -- whether the person
15 was -- well, that's all I know.

16 Q Okay. Now, I understood -- you don't know
17 whether the person was a licensed therapist; is that
18 correct?

19 A No.

20 Q No, you do not know?

21 A No, I do not know.

22 Q Okay. Now, I got the impression from the way
23 you explained what happened that this was also somewhat
24 to some extent coercive where the parents sort of sent
25 their son or -- you told me this was a --

1 A Female to male.

2 Q Okay. So the parents sent their child, who
3 was 18 to this person, whoever it was.

4 A Right.

5 Q Well, I mean, obviously I can see why that
6 account and their experience would put a bad taste in
7 your mouth. But going back to the more scientific
8 question, which is, can you put a percentage or quantify
9 the increased risk to a person of suicidality or
10 depression, or any poor mental health outcome, from
11 conversion therapy as compared to psychotherapy, in
12 general?

13 A The only thing is that he started using heroin
14 after he first met the therapist. And he continued to
15 use heroin for weeks until he took the overdose. And so
16 there was a part of me that was feeling -- he told that
17 therapist he was taking heroin -- why, you know, why
18 didn't -- why didn't he get the kind of referral that
19 you would expect.

20 Q I can see why you would have those questions.

21 MR. GANNAM: Can you read back my question to
22 him, please?

23 (The Court Reporter is directed to read back
24 the previous question and/or answer.)

25 THE WITNESS: I can't say.

1 BY MR. GANNAM:

2 Q You can't say?

3 A The only thing I can say is that whoever he
4 saw was seeing a kid who was relatively new to any --
5 any drug. And this was heroin. And this person made no
6 appropriate move.

7 Q So apart from that particular situation, are
8 you telling me that you can't quantify or put a
9 percentage on the increased risk from conversion
10 therapy?

11 A Correct.

12 Q Now, what led me to that question was an
13 earlier question about what specifically your
14 declaration points to in Dr. Hudson's declaration that
15 you disagree with.

16 Is there anything else in your declaration
17 identifying a disagreement with Dr. Hudson's
18 declaration?

19 A I will say one thing about -- or maybe I said
20 this before. As I reread Hudson's declaration, I had
21 the feeling -- I had the feeling that Dr. Hudson might
22 be an appropriate therapist for some of my adult
23 patients. That the way he came -- he comes across, a
24 sensitive person, that even though some of his approach,
25 perhaps, in conversion type would be totally

1 inappropriate for children and adolescents, it might be
2 very useful in someone over 30 who literally needs to be
3 shaken up a bit but with a tenderness.

4 Q Do you, in your declaration, identify anything
5 that -- any treatment that would be inappropriate for a
6 minor, based on Dr. Hudson's declaration?

7 A Well, I do make the points that subjecting
8 minors to conversion therapy deviates from the standard
9 of care for treating transgender and
10 gender-nonconforming children in adolescence.

11 And I think that's the topic sentence for my
12 issues with Dr. Hudson and the age group that I talk
13 about.

14 Q Is there anything else in your declaration
15 that points to a -- something specific in Dr. Hudson's
16 declaration that you disagree with or found
17 inappropriate?

18 A No scientific evidence in any type of therapy
19 or treatment can change a person's gender identity.
20 Attempts to do so put patients at risk of serious harm,
21 including suicidality and depression.

22 Q That was paragraph four; correct?

23 A Right. And number seven, I won't read them
24 all, but I listed the multiple professional
25 organizations that have issued evidence-based guidelines

1 and standards of care for treating transgender and
2 gender-nonconforming children in adolescence. By
3 inference, I say that these -- none of these guidelines
4 include conversion treatment.

5 Q All right. Have you now told me everything in
6 your declaration that addresses anything in Dr. Hudson's
7 declaration that you disagree with or found
8 inappropriate?

9 MR. WILLIAMS: You're talking about the entire
10 declaration one through six of part A and seven
11 through eight of -- actually seven through nine of
12 part B?

13 MR. GANNAM: I'm sorry?

14 MR. WILLIAMS: I want to make sure that I
15 understand the question.

16 MR. GANNAM: Will you read my question back?

17 (The Court Reporter is directed to read back
18 the previous question and/or answer.)

19 THE WITNESS: Perhaps you'd read number nine?

20 MR. WILLIAMS: Let her do this, Doctor. And
21 let me ask.

22 MR. GANNAM: All right. Let me start with
23 that question.

24 MR. WILLIAMS: Well, let me ask you a
25 question, because his reference to Dr. Hudson is

1 specifically in paragraph Roman numeral 2A1. And
2 my question is are you referring to just the six --
3 two through six thereafter or are you talking about
4 B as well or anything before that? You said "in
5 your declaration."

6 MR. GANNAM: All right. I'll ask --

7 MR. WILLIAMS: I want to make sure that I'm
8 understanding.

9 MR. GANNAM: I'll ask the question. And if
10 there's an objection, then make it. And we'll see
11 if he can answer me.

12 MR. WILLIAMS: Okay. Fair enough.

13 BY MR. GANNAM:

14 Q Dr. Spack.

15 A Yes.

16 Q Have you now told me everything in your
17 declaration that addresses a disagreement with
18 Dr. Hudson's declaration or something in his declaration
19 that you found inappropriate?

20 A Well, this may be redundant, but it is my
21 concluding point, number nine. [As read]: There's no
22 evidence-based support for treatments that attempt to
23 change a young person's gender identity or gender
24 expression. Conversion therapy deviates from the
25 standards of care and guidelines promulgated for the

1 psychological and medical treatment of gender diverse
2 adolescence.

3 MR. WILLIAMS: My objection, which I didn't
4 get a chance to lodge, is the declaration speaks
5 for itself. And I think the court can make its own
6 conclusions as to what all this means. So I think
7 it's unfair to the witness to ask him that kind of
8 a question. He's answered the question. And then
9 we'll go from there; okay?

10 BY MR. GANNAM:

11 Q Your attorney said you've answered the
12 question. I want to let you have the last word on that.
13 Have you now told me everything in your declaration that
14 addresses anything you disagree with in Dr. Hudson's
15 declaration or anything you found inappropriate in his
16 declaration?

17 A I'm satisfied that my opinions have been
18 heard.

19 Q All right. Let me take a break with my
20 colleague for a moment and we will reconvene.

21 (A brief recess is had from 3:52 p.m. to 4:00
22 p.m.)

23 BY MR. GANNAM:

24 Q Just to clean up our record a little bit, I'm
25 going to show you what was previously marked as

1 Exhibit 4. This is the Tampa ordinance 2017-47.

2 MR. WILLIAMS: Do I have a copy of that?

3 MR. GANNAM: We marked it as 4 during one of
4 the earliest depositions.

5 MR. WILLIAMS: Right. Right. Give me a
6 second.

7 BY MR. GANNAM:

8 Q Dr. Spack, is this in fact the ordinance that
9 we've been talking about --

10 A Yes.

11 Q -- in this case?

12 Great. And have you had a chance to read this
13 ordinance before?

14 A I did, yes.

15 Q Okay. And does your reading it include all
16 those various "whereas" clauses leading up the text of
17 the ordinance?

18 A I never saw so many whereases in my life.

19 Q Great. All right. I have no further
20 questions.

21 CROSS EXAMINATION

22 BY MR. WILLIAMS:

23 Q All right. Dr. Spack, I just want to clarify
24 some things in my mind. Mr. Gannam went over your
25 declaration, which is Exhibit 36 to your deposition that

1 you signed on June 12th, 2019. And you have that in
2 front of you there, don't you?

3 A Yes.

4 Q He also talked about the 2015 guidelines. Do
5 you remember that part of his --

6 A Yes.

7 Q -- question?

8 2015 APA guidelines, I think.

9 MR. GANNAM: You want to give the Exhibit
10 number, just for the record?

11 MR. WILLIAMS: Yeah. You're better at that
12 than I am. I didn't have a copy of that.

13 MR. GANNAM: 33.

14 MR. WILLIAMS: May I borrow your copy?

15 MR. GANNAM: Why don't you borrow his copy
16 because all the pictures I drew of you in the
17 margin on this one.

18 MR. WILLIAMS: I'm sure they're pretty
19 pictures.

20 BY MR. WILLIAMS:

21 Q If you don't mind, I'll borrow that.

22 So Exhibit 33 is the American Psychological
23 Association 2015 guidelines.

24 Now, Doctor, with those -- with your
25 declaration in mind and the APA guidelines in mind,

1 first of all, let me ask you, you've now been through
2 the better part of the day under Mr. Gannam's
3 grilling -- questioning.

4 MR. MIHET: Skillful.

5 MR. WILLIAMS: Skillful. Yes. Skillful and
6 adroit.

7 BY MR. WILLIAMS:

8 Q But grilling, nevertheless. Has anything that
9 Mr. Gannam has introduced to you today caused you to
10 change in your mind -- well, any of your statements in
11 your declaration?

12 MR. GANNAM: Objection, leading.

13 You can answer.

14 THE WITNESS: There was -- I'm not sure if
15 it's in here or -- there was a place where the two
16 options.

17 BY MR. WILLIAMS:

18 Q Yeah. I'm talking about -- I think you talked
19 about in connection with this. But are the statements
20 that you made in your declaration signed in June still
21 valid statements, as far as you know?

22 A Yes.

23 MR. GANNAM: I just want to object, asked and
24 answered.

25 BY MR. WILLIAMS:

1 Q Now, you just mentioned the two options. And
2 I remember that. And Mr. Gannam asked you -- I think
3 the question was, talked about two options. What
4 options did you understand him to be talking to you
5 about -- asking you about?

6 A It relate -- I don't even remember which
7 document it was. But it --

8 Q I believe it was connected with 33.

9 MR. GANNAM: Objection, leading.

10 MR. WILLIAMS: That's true.

11 THE WITNESS: And the issue was whether or not
12 in response to the statement of two approaches to
13 the treatment of children who had gender dysphoria,
14 whether I had -- whether I had said they were equal
15 or not.

16 MR. GANNAM: I didn't ask that question, so...

17 BY MR. WILLIAMS:

18 Q Well, I'll ask it. Are they equal?

19 A Not necessarily. And the reason is that --
20 well, could I just look at it again?

21 Q Sure. Here's the '15 -- or '15 guidelines.
22 Here's your declaration.

23 MR. GANNAM: I just want to object to the
24 record of leading the witness to a document.

25 MR. MIHET: For the record, Attorney Minter is

1 now flipping through the exhibit and has opened it
2 to a particular paragraph and pointed the witness
3 to it.

4 MR. MINTER: Yes. The same paragraph that he
5 was questioned about earlier.

6 MR. WILLIAMS: And to save time, I might add.
7 It's after 4:00.

8 THE WITNESS: Okay. I believe it was related
9 to guideline eight on page 841 of the American
10 Psychological Association.

11 And I'll read it. This is longer than I
12 thought. Can I ask a question?

13 BY MR. WILLIAMS:

14 Q No, you can't. You can take whatever time you
15 need to.

16 A [As read]: Many children develop stability in
17 the gender identity between ages three and four,
18 although gender consistency, which is recognition that
19 gender remains the same across situations, often does
20 not occur until ages four to seven. Children who
21 demonstrate gender nonconformity in preschool and early
22 elementary school may not follow this trajectory.

23 This comes from Zucker.

24 [As read]: Existing research suggests that 12
25 to 50 percent of all children diagnosed with gender

1 dysphoria may persist in their identification with a
2 gender different than sex assigned at birth into late
3 adolescence and young adulthood.

4 The point here is that what Zucker says
5 shouldn't be regarded as equivalent or shouldn't be
6 regarded as the Bible from Sinai.

7 And I say this because he not only says things
8 like this in 15 -- you know, like 15 personal references
9 in a paragraph, but what he has said has been regarded
10 as truth for a very long time, even in the pediatric
11 endocrine community and in the pediatric psychological
12 community. And if his solution or recommendation is put
13 at equal weight with another, my only recommendation is
14 look very carefully at the other because it's very
15 likely to be more correct. And it's also very likely
16 that when it was raised as a question or a -- or a
17 letter to the editor or whatever, criticizing Zucker, it
18 would have been shouted down. It's really a classic
19 example of what -- of what happens when scientific -- a
20 scientific approach becomes political.

21 Q Of the two choices, is one of them then the
22 Zucker approach? Is that what you're saying?

23 A There is one Zucker approach here.

24 Q And is that the standard of care today, now?

25 A No more.

1 Q What is the standard of care? How would you
2 identify that?

3 A Well --

4 Q The other approach?

5 A Yes. There are two standards of care about
6 how to deal with the prepubertal kid who acts in a
7 cross-gender way.

8 Zucker's approach was to isolate the child,
9 limit the child to their own room, and not permit the
10 child to play with any toys or anything reflecting their
11 cross-gender feelings in another room in the house, as
12 if -- what Zucker was trying to do was accept the
13 possibility the kid may be gay, but try to keep the kid
14 from being transgender.

15 The other example is the best example by Diane
16 Ehrensaft of the University of California or San
17 Francisco, whose attitude is follow the child's lead,
18 you will have a happy child and you won't be making the
19 child transgender in so doing because many kids change
20 anyway. But you can make yourself a really miserable
21 child if you follow Ken Zucker's approach.

22 Q Which of those two is now the standard of
23 care?

24 A I think Ehrensaft is.

25 MR. GANNAM: Just I'm going to object to

1 assumes facts not in evidence.

2 MR. WILLIAMS: All right. I think I've
3 covered those. Okay. Good enough. That's all I
4 have.

5 MR. GANNAM: All right. Just for the record,
6 I'll move to strike the cross-examination as beyond
7 the scope of direct. And we have no follow-up.

8 MR. WILLIAMS: I move to strike your motion to
9 strike.

10 MR. MIHET: And also as leading the witness,
11 coaching, and improperly getting the witness to
12 change their sworn testimony.

13 MR. WILLIAMS: I take offense to all the above
14 objections because that's not what happened at all.
15 All right. We're done?

16 Doctor, you have the right to read your
17 transcript. I'm sure it will be transcribed. And
18 I will -- if you want me to, I will send you, or
19 the court reporter will send you a copy of your
20 transcript. You have the right to read it, make
21 any changes you want to, or whatever, or you can
22 waive that. My advice is never waive that right.
23 That's what I tell everybody, so...

24 MR. MIHET: We disagree that the witness has
25 the right to make any changes that he wants to.

1 MR. WILLIAMS: Good God, how can you disagree
2 with that. Hold on, Harry. I want to put you to a
3 real test here.

4 (Off the stenographic record.)

5 COURT REPORTER: So Mr. Williams, you're
6 getting a copy of the transcript, then?

7 MR. WILLIAMS: I'm sure they're going to order
8 it and I'll take a copy. I'm assuming. Maybe I
9 shouldn't assume. You guys going to order it?

10 MR. MIHET: Yes.

11 MR. WILLIAMS: That's what I thought.

12 (The reading and signing of the deposition is
13 not waived.)

14 (At 4:13 p.m. the deposition was concluded.)
15
16
17
18
19
20
21
22
23
24
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE OF OATH

STATE OF FLORIDA)
COUNTY OF HILLSBOROUGH)

I, the undersigned authority, certify that NORMAN SPACK, M.D. personally appeared before me and was duly sworn.

WITNESS my hand this 15th day of August, 2019.

DAWN A. HILLIER, RMR, CRR, CLR
Notary Public - State of Florida
My Commission No.: GG 259309
Expires: 12-15-2022

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE

STATE OF FLORIDA)

COUNTY OF HILLSBOROUGH)

I, DAWN A. HILLIER, RMR, CRR, CLR certify that I was authorized to and did stenographically report the deposition of NORMAN SPACK, M.D.; that a review of the transcript was requested; and that the transcript is a true and complete record of my stenographic notes.

I further certify that I am not a relative, employee, attorney, or counsel of any of the parties, nor am I a relative or employee of any of the parties' attorney or counsel connected with the action, nor am I financially interested in the action.

DATED this 15th day of August, 2019.



DAWN A. HILLIER, RMR, CRR, CLR