

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES DISTRICT COURT
FOR THE
MIDDLE DISTRICT OF FLORIDA

DREW ADAMS, a minor,)
)
Plaintiff,)
)
vs.) Civil Action
) No. 3:17-cv-00739-TJC-JBT
THE SCHOOL BOARD OF ST.)
JOHNS COUNTY, FLORIDA,)
)
Defendant.)

VIDEOTAPED DEPOSITION OF PAUL W. HRUZ, M.D., Ph.D
Taken on behalf of Plaintiff
November 20, 2017
(Starting time of the deposition: 8:58 a.m.)

Pl. Trial Ex. 090

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X O F E X A M I N A T I O N

	Page
Questions by Mr. Gonzalez-Pagan	7
Questions by Mr. Kostelnik	286
Further Questions by Mr. Gonzalez-Pagan	292

INDEX OF EXHIBITS

EXHIBIT	DESCRIPTION	PAGE
For the Plaintiff:		
Exhibit 1	Subpoena	11
Exhibit 2	Expert Declaration	29
Exhibit 3	Growing Pains Article	29
Exhibit 4	Letter	68
Exhibit 5	Article	163
Exhibit 6	Article	231
Exhibit 7	Article	246
Exhibit 8	Article	249

(The original exhibits were retained by the court reporter, to be attached to Mr. Gonzalez-Pagan's transcript.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES DISTRICT COURT
FOR THE
MIDDLE DISTRICT OF FLORIDA

DREW ADAMS, a minor,)
)
Plaintiff,)
)
vs.) Civil Action
) No. 3:17-cv-00739-TJC-JBT
THE SCHOOL BOARD OF ST.)
JOHNS COUNTY, FLORIDA,)
)
Defendants.)

VIDEOTAPED DEPOSITION OF WITNESS, PAUL W. HRUZ, M.D., Ph.D., produced, sworn, and examined on the 20th day of November, 2017, between the hours of nine o'clock in the forenoon and six o'clock in the evening of that day, at the offices of Veritext Legal Solutions, 515 Olive Street, Suite 300, St. Louis, Missouri before BRENDA ORSBORN, a Certified Court Reporter within and for the State of Missouri, in a certain cause now pending in the United States District Court for the Middle District of Florida, wherein Drew Adams, a minor, is the Plaintiff and The School Board of St. Johns County, Florida is the Defendant.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A P P E A R A N C E S

For the Plaintiff:

Mr. Omar Gonzalez-Pagan
Lambda Legal
120 Wall Street
New York, New York 10005
(212) 809-8585
ogonzalez-pagan@lambdalegal.org
and

Ms. Shani Rivaux
Pillsbury Winthrop Shaw Pittman LLP
600 Brickell Avenue, Suite 3100
Miami, Florida 33131
(786) 913-4882
shani.rivaux@pillsburylaw.com

For the Defendant:

Mr. Kevin Kostelnik
Mr. Terry Harmon (via phone)
Sniffen & Spellman, P.A.
123 North Monroe Street
Tallahassee, Florida 32301
(850) 205-1996
kkostelnik@sniffenlaw.com

The Court Reporter:

Ms. Brenda Orsborn, RPR/CSR/CCR
Missouri CCR No. 914
Illinois CSR No. 084-003460
Veritext Legal Solutions
515 Olive Street, Suite 300
St. Louis, Missouri 63101
(888) 391-3376

The Videographer: Ms. Kimberlee Lauer

1 IT IS HEREBY STIPULATED AND AGREED, by and
2 between counsel for Plaintiffs and counsel for
3 Defendants that the VIDEOTAPED DEPOSITION OF PAUL W.
4 HRUZ, M.D., Ph.D., may be taken in shorthand by Brenda
5 Orsborn, a Certified Court Reporter, and afterwards
6 transcribed into typewriting; and the signature of the
7 witness is expressly not waived.

8 * * * * *

9 VIDEOGRAPHER: Good morning. We're going on
10 the record at 8:58 a.m. on Monday, November 20th,
11 2017. Please note that the microphones are sensitive
12 and may pick up whispering and private conversations
13 and cellular interference. Please turn off all cell
14 phones or place them away from the microphones as they
15 can interfere with the deposition audio. Audio and
16 video recording will continue to take place unless all
17 parties agree to go off the record.

18 This is Media Unit No. 1 of the video
19 recorded deposition of Dr. Paul Hruz, taken by counsel
20 for the Plaintiffs in the matter of Drew Adams versus
21 the School Board of St. Johns County, Florida, filed
22 in the United States District Court for the Middle
23 District of Florida. This deposition is being held at
24 Veritext Legal Solutions, located at 515 Olive Street
25 in St. Louis, Missouri.

1 My name is Kimberlee Lauer from Veritext,
2 and I'm the videographer. Our court reporter is
3 Brenda Orsborn, also from Veritext. I am not
4 authorized to administer an oath. I am not related to
5 any party in this action. Nor am I financially
6 interested in the outcome.

7 Counsel and all present in the room and
8 everyone attending remotely will now please state your
9 appearances and affiliations for the record, and if
10 there are any objections to proceeding, please state
11 them at the time of your appearance beginning, please,
12 with the noticing attorney.

13 MR. GONZALEZ-PAGAN: Thank you. Omar
14 Gonzalez-Pagan of Lambda Legal for the Plaintiff.

15 MS. RIVAUX: Good morning. Shani Rivaux
16 with Pillsbury Winthrop Shaw Pittman, on behalf of the
17 Plaintiff.

18 MR. KOSTELNIK: Good morning, Kevin
19 Kostelnik of Sniffen & Spellman on behalf of the
20 Defendant.

21 THE WITNESS: Paul Hruz --

22 MR. HARMON: And this is Terry Harmon on the
23 phone, as well, for the Defendant.

24 THE WITNESS: And Paul Hruz, pediatric
25 endocrinologist, witness for the defense.

1 DR. PAUL HRUZ,
2 of lawful age, being produced, sworn and examined on
3 behalf of the Plaintiff, deposes and says:

4 EXAMINATION

5 QUESTIONS BY MR. GONZALEZ-PAGAN:

6 Q. All right. Dr. Hruz, thank you for being
7 here today. I know you're a busy man. As you're
8 aware, I represent Drew Adams, the Plaintiff in this
9 litigation, and I'll be asking some questions about
10 your opinions in this case today. I just want to go
11 over some ground rules just to get started. First, do
12 you understand that you're under oath today?

13 A. Yes, I do.

14 Q. And that -- that this requires to testify
15 truthfully?

16 A. Yes, I do.

17 Q. We cannot be speaking at the same time. It
18 will be annoying to the court reporter. It will make
19 it difficult for you to hear me, me to hear you. So
20 please let me finish a question before you start
21 answering it, and I'll strive to do the same as well,
22 and let you finish answering before I go into another
23 question. Is that agreed?

24 A. Very good. Yes.

25 Q. If you don't understand something I ask,

1 identity, a gender identity, that does not correspond
2 with their sex.

3 Q. Okay. So now understanding that term, I ask
4 you, would you agree with me that there are
5 transgender people?

6 A. I would agree that there are individuals
7 that have a gender identity that does not match their
8 sex.

9 Q. Okay. Have you met with Drew Adams?

10 A. I have not.

11 Q. Did you request to meet with Drew Adams?

12 A. I did not.

13 Q. Did anyone tell you you could not meet with
14 Drew Adams?

15 A. No.

16 Q. Have you evaluated Drew Adams?

17 A. Clarify what you mean by "evaluate."

18 Q. As a doctor, you conduct evaluations of your
19 patients.

20 A. So I have not participated in the medical
21 care of Drew Adams.

22 Q. Okay. So you have not treated Drew Adams
23 either?

24 A. That is correct.

25 Q. And you haven't examined him, medically

1 examined Drew Adams either?

2 A. I have never met him.

3 Q. Did you ask for an independent medical
4 examination?

5 A. I did not.

6 Q. Have you ever met with either of Drew Adams'
7 parents?

8 A. I have not.

9 Q. Have you spoken with any of Drew Adams'
10 treating physicians?

11 A. I'm -- I'm just trying to see if -- if the
12 ones that were listed, if I've ever met them at a
13 meeting. I've never spoke with them directly related
14 to this case, no.

15 Q. So if you've spoken to any of the doctors,
16 okay, you have never spoken with them about Drew
17 Adams?

18 MR. KOSTELNIK: Form.

19 A. That is correct.

20 Q. (By Mr. Gonzalez-Pagan) Did anyone advise
21 you that you could not speak to Drew Adams' treating
22 physicians?

23 A. No.

24 Q. Do you believe that speaking with Drew
25 Adams' treating physicians would have enabled you to

1 physiological definition.

2 A. He is post-pubertal, and that's how I
3 define --

4 Q. So you consider him an adult?

5 A. No, I do not.

6 Q. So what -- what -- what would you consider
7 Drew Adams, then?

8 A. I would consider him a post-pubertal female
9 who identifies as a -- a male.

10 Q. Is it safe to say you consider him a
11 post-pubertal teenager?

12 A. Yes.

13 Q. You said from a legal standpoint. From a
14 legal standpoint, is Drew Adams an adolescent?

15 A. He has not reached the age of 18.

16 Q. And you -- just to clarify, you just stated
17 that Drew is post-pubescent, correct?

18 MR. KOSTELNIK: Form.

19 A. Post-pubertal.

20 Q. (By Mr. Gonzalez-Pagan) Let me just rephrase
21 that, because that was a form objection. Is Drew
22 Adams post-pubertal?

23 A. Drew Adams is post-pubertal.

24 Q. Would you agree that Drew Adams is
25 transgender?

1 A. I -- as I said earlier, he is a biological
2 female that identifies as a male. By that definition,
3 he would qualified as a transgender individual.

4 Q. Is Drew a transgender boy?

5 A. Again, you have to be very careful when you
6 make the designation. The -- the terminology that is
7 often used right now would classify him as a
8 transgendered male.

9 Q. If Drew told you he was a boy, would you
10 accept that?

11 MR. KOSTELNIK: Form.

12 A. It would depend on what he was asking in
13 terms of that, if he was asking about his gender
14 identity or his biology. If he was asking about
15 whether he was biologically male or female, I would
16 say that he's biologically female.

17 Q. (By Mr. Gonzalez-Pagan) And if he told you
18 that his gender identity was male?

19 A. I would take him at his word.

20 Q. If Drew told you he uses male pronouns,
21 would you use male pronouns?

22 A. My practice is to use as much respect as I
23 can and within the confines of scientific and
24 biological reality, I would not have [sic] not an
25 objection to be able to identify him as he wished.

1 Q. So is that a "yes" or a "no"?

2 A. That is a -- to make sure I understand the
3 question again, please address it again.

4 Q. If Drew asked you to use male pronouns,
5 would you use male pronouns?

6 A. Yes.

7 Q. In your practice -- and I take it you've
8 been practicing for several years, so in your
9 practice, how many transgender patients have you
10 treated in the past five years?

11 A. As stated explicitly in my declaration, I
12 intentionally do not treat transgender patients.

13 Q. At all?

14 A. That is correct.

15 Q. In any -- for any treatment?

16 A. Oh, the ones that I'm aware of, I have not
17 encountered any patients that have presented to me as
18 transgendered for any other conditions. I have
19 certainly encountered many patients where that was
20 something under consideration or something that I
21 suspected, but nobody has ever mentioned directly to
22 me that they were transgendered.

23 Q. Okay. So to your knowledge, you have not
24 treated any person that you knew was transgender?

25 MR. KOSTELNIK: Form.

1 A. Well, again, if you would -- yeah, that is
2 true for -- for the -- the patient -- somebody like
3 Drew Adams that was biologically normal. I have
4 certainly cared for hundreds of patients that have
5 disorders of sexual development. Many practitioners
6 will include those in that designation. I believe
7 that they are a completely different patient
8 population than Drew Adams.

9 Q. (By Mr. Gonzalez-Pagan) What is gender
10 dysphoria?

11 A. Gender dysphoria is the discomfort that one
12 experiences related to gender identity that does not
13 conform with one's biological sex.

14 Q. Is that the definition in the DSM?

15 A. Yes.

16 Q. It uses the word "discomfort"?

17 A. I'd have to go look back at the exact
18 wording of that. It's the difficulty that they
19 experience, psychological difficulty with that, yes.

20 Q. Okay. And based on your testimony, would
21 you agree that you have not treated any transgender
22 patients for gender dysphoria?

23 A. Yes, I would agree.

24 Q. Would you agree that Drew's treating
25 physicians have diagnosed him with gender dysphoria?

1 A. I would agree, yes.

2 Q. Would you agree that Drew Adams suffers from
3 gender dysphoria?

4 A. Based on the information presented to me, I
5 would accept that. I have nothing to dispute that.

6 Q. What do you understand gender-affirming
7 treatment to mean?

8 MR. KOSTELNIK: Form.

9 A. So gender-affirming treatment?

10 Q. (By Mr. Gonzalez-Pagan) Yes.

11 A. That is the treatment paradigm that rather
12 than challenging the discrepancy between biological
13 sex and gender identity, it is affirmed and validated
14 in the individual, his -- encouraged in that
15 transgendered identity.

16 Q. So I just want to clarify a little bit,
17 because you used different words there for what's
18 being -- you said not challenge, correct?

19 A. That is correct.

20 Q. You said that it's accepted, that they
21 accept the gender identity of the --

22 A. And -- and I would say even encourage.

23 Q. So that's where I was going.

24 A. Yes.

25 Q. So you think not challenging is the same as

1 the person putting forward this clinic and trying to
2 understand what care that was being proposed to be
3 provided in the setting of that context in my role as
4 the director of our -- or the chief of our division of
5 endocrinology.

6 Q. Just to be clear, though, you have never sat
7 in a meeting between a provider and a patient
8 discussing their treatment options for gender
9 dysphoria?

10 A. That is correct, I've never been in the room
11 with a patient while that care is being discussed.

12 Q. All right. Would you agree that Drew Adams'
13 doctors have concluded that gender-affirming treatment
14 is appropriate treatment for him?

15 A. That is what they concluded, yes.

16 Q. Would you agree that Drew Adams' doctors
17 have concluded that the gender-affirming treatment has
18 been helpful to Drew?

19 A. I believe that that's what they claim, yes.

20 Q. Do you agree that Drew Adams' gender-
21 affirming treatment has been beneficial for him?

22 A. It depends on what you mean by beneficial.
23 I think that it is far too early to know what the
24 long-term outcome -- outcomes are going to be from
25 what is being provided for Drew Adams.

1 Q. As we stand here today, has the
2 gender-affirming treatment been beneficial to Drew
3 with regards to his gender dysphoria?

4 MR. KOSTELNIK: Object to form.

5 A. So similar to the literature that has
6 already been published in this area, Drew, by the
7 reports that I've read, is experiencing a -- a
8 lessening of the dysphoria in relation to the gender
9 discordance, and I would say that based on the
10 information that I saw, the answer is yes.

11 Q. (By Mr. Gonzalez-Pagan) As we stand here
12 today, do you agree that Drew Adams' gender-affirming
13 treatment has improved his quality of life?

14 A. So again, I can't say with certainty what
15 actually has improved his quality of life. I can say,
16 based on the record, that he is better adjusted than
17 previously.

18 Q. Dr. Hruz, you're an endocrinologist,
19 correct?

20 A. That is correct.

21 Q. You're not a psychiatrist, correct?

22 A. That is correct.

23 Q. You're not a psychologist?

24 A. That is correct.

25 Q. Are you a licensed mental healthcare

1 provider of any kind?

2 A. I am not.

3 Q. Can you diagnose gender dysphoria?

4 A. I can -- I can diagnose gender dysphoria to
5 the extent that my colleagues, as pediatric
6 endocrinologists, follow the DSM-5 and look at the
7 criteria and put the check boxes there. That is the
8 extent of what my colleagues, as pediatric
9 endocrinologists, do, and I'm just as capable of doing
10 that as they are.

11 Q. As an endocrinologist, do you routinely
12 diagnose conditions in the DSM-5?

13 A. I -- I do not -- well, let me -- I'm
14 trying -- the reason I'm waiting is I'm trying to
15 think as I put in my ICD9 codes in my visits, I do
16 believe that I've actually added them, but I do not
17 consider myself as a psychiatrist to making those
18 diagnoses, no.

19 Q. Do you have any basis to know whether Drew
20 Adams has suffered distress as a result of being
21 denied access to the restroom consistent with his
22 gender identity?

23 A. I can only evaluate what is contained within
24 his patient chart and the literature -- or the
25 information that was provided to me.

1 exact basis of that cannot be determined with -- in
2 the context of what the medical record shows.

3 Q. Again, having reviewed the medical records,
4 is there anything in the medical records that leads
5 you to believe that Drew Adams' anxiety cannot be
6 attributed in part to his being denied access to the
7 restroom consistent with his gender identity?

8 MR. KOSTELNIK: Form.

9 A. There are certainly entries in the medical
10 record that indicate that his treating providers
11 believed that that was a contributing factor. Whether
12 that was or was not true, I don't have a basis to
13 judge.

14 Q. (By Mr. Gonzalez-Pagan) Okay. Do you agree
15 that Drew Adams feels stigmatized as a result of being
16 denied access to the restroom consistent with his
17 gender identity?

18 MR. KOSTELNIK: Form.

19 A. My understanding from what I've read is that
20 he does make that claim.

21 Q. (By Mr. Gonzalez-Pagan) Do you agree that
22 Drew Adams' gender dysphoria is exacerbated as a
23 result of his being denied access to the restroom
24 consistent with his gender identity?

25 A. I can state that that claim has been made,

1 not -- not proven.

2 Q. Do you have any basis to dispute the claim?

3 A. No.

4 Q. Having never met, evaluated, examined or
5 treated Drew Adams, can you offer an opinion regarding
6 Drew Adams specifically?

7 MR. KOSTELNIK: Form.

8 A. My opinions in this case are based upon a
9 review of the medical literature and in the condition
10 itself, and that is what I am offering to the court in
11 my serving as an expert witness.

12 Q. (By Mr. Gonzalez-Pagan) Okay. Can you point
13 me to where you have specific opinions with regards to
14 Drew Adams in your report?

15 A. I specifically cover the medical
16 information. I do have a paragraph in there where
17 I -- I go through the details of what the allegations
18 are, and --

19 Q. Is that Paragraph 12?

20 A. I -- yes, that is correct.

21 Q. Is that a description of the case details?

22 A. That is correct.

23 Q. Is there any opinion specific as to Drew
24 Adams in Paragraph 12?

25 A. No.

1 Q. Is there any opinion specific as to Drew
2 Adams anywhere else in the report?

3 A. No. My opinions are based on -- near the
4 end of my declaration, I specifically state the
5 concerns in a -- in a general sense of all patients
6 that are -- are faced with this particular condition.
7 And I think that that certainly is pertinent to Drew
8 Adams in addition to the many other individuals that
9 are suffering from this condition.

10 Q. Okay. But none of those opinions are
11 specific to Drew Adams?

12 A. They are applicable to all individuals that
13 present as Drew Adams does.

14 MR. GONZALEZ-PAGAN: Move to strike as
15 nonresponsive.

16 Q. (By Mr. Gonzalez-Pagan) Are they specific to
17 Drew Adams?

18 A. They include Drew Adams. They are not
19 limited to Drew Adams.

20 Q. Would you agree that those opinions are
21 general in nature and not specific to Drew Adams?

22 A. Yes.

23 Q. Having never met, evaluated, examined or
24 treated Drew Adams, can you make an assessment as to
25 whether Drew Adams suffers from gender dysphoria?

1 clinical guidelines?

2 A. I would let them know that the clinic was
3 available, and I would let the people in that clinic,
4 if they chose to attend that clinic, present all of
5 the information for the basis for their treatment
6 approach.

7 Q. So you wouldn't inform the patient that the
8 treatment is in accordance with the clinical
9 guidelines?

10 A. I'm envisioning the hypothetical situation
11 that you're talking about, and the extent of my normal
12 clinic visit and how much time I have to present all
13 of the -- the important aspects of clinical care, and
14 I'm envisioning that there would be a limit of the --
15 the length of that conversation if I was going to
16 adequately address all of the other relevant issues
17 that I was caring that patient for [sic].

18 Q. Would you suggest that the patient seek
19 conversion therapy?

20 A. No.

21 Q. Is the treatment at the transgender center
22 consistent with the position and recommendations of
23 the American Medical Association?

24 A. I -- as I understand it, yes.

25 Q. Is the treatment at the transgender center

1 consistent with the position and recommendations of
2 the American Academy of Pediatricians?

3 A. The AAP, yes.

4 Q. Is the treatment at the transgender center
5 consistent with the position and recommendations of
6 the American Psychiatric Association?

7 A. I don't follow those as closely, but I would
8 assume yes.

9 Q. Is the treatment at the transgender center
10 consistent with the position and clinical guidelines
11 of the American Psychological Association?

12 A. The same as the last answer. To my
13 knowledge, I don't know them specifically, but I would
14 say yes.

15 Q. Okay. Let's go a little bit for some of
16 your memberships. You're a member of the American
17 Medical Association, right?

18 A. No.

19 Q. Were you a member of the American Medical
20 Association?

21 A. I was in the past, yes.

22 Q. Are you a member of the American Academy of
23 Pediatricians?

24 A. Yes.

25 Q. Is your position in your report and as you

1 sit -- sit here today consistent with the position of
2 the American Academy of Pediatricians?

3 A. It is not consistent with the -- the opinion
4 that is presented by the AAP. Again, I will note that
5 is not a -- a position that has been voted upon by the
6 entire membership of the AAP.

7 Q. Are the -- all the positions adopted by the
8 AAP voted upon by the membership?

9 A. No. In fact, they're usually voted on by a
10 very small select committee, a -- a very minority of
11 the entire academy.

12 Q. So the position of the AAP on this subject
13 has been adopted via its regular procedures?

14 A. Yes. Which -- which I would add do not
15 involve membership of the entire academy.

16 Q. Are you a member of the Endocrine Society?

17 A. Yes, I am.

18 Q. Are your positions here today and in your
19 report consistent with the clinical guidelines of the
20 Endocrine Society?

21 A. They are at odds with the recommendations
22 that are put forward, the guidelines that are put
23 forward for the treatment of gender dysphoria.

24 Q. You're a member of the Pediatric Endocrine
25 Society, correct?

1 A. Yes, I am.

2 Q. Are your positions here today and the
3 positions in your report consistent with the positions
4 adopted by the Pediatric Endocrine Society?

5 A. They are not, and I've actually written to
6 the PES on more than one occasion with my opinions and
7 invited them to dialogue about the -- the scientific
8 evidence that I have in dispute from -- that are
9 included per the recommendations.

10 Q. And we've requested those comments, right?

11 A. Yes. And everything I have on file, I gave
12 you everything I have. I don't have records of
13 anything that I did not send you.

14 Q. You have published a body of literature in
15 your career, correct? Right?

16 A. That is correct.

17 Q. How many peer-reviewed articles have you
18 written and published regarding gender identity?

19 A. I have not published peer-reviewed articles
20 on gender identity.

21 Q. How many peer-reviewed articles have you
22 written and published regarding transgender people?

23 A. I have not written peer -- peer-reviewed
24 papers on that topic.

25 Q. How many peer-reviewed articles have you

1 written and published regarding the treatment of
2 transgender children and adolescents?

3 A. Again, as peer-reviewed, I have not written
4 any.

5 Q. How many peer-reviewed articles have you
6 written and published regarding the treatment of
7 gender dysphoria?

8 A. I have not written any.

9 Q. How many peer-reviewed articles have you
10 written and published regarding the use of restrooms
11 by transgender students?

12 A. I have not written any.

13 Q. How many studies have you conducted
14 regarding gender identity?

15 A. Conducted, I have not conducted any, but I
16 am in the process right now of responding to a
17 research funding announcement by the NIH to be able to
18 engage in that research.

19 Q. But just to be clear, you haven't conducted
20 any as we stand here today?

21 A. That is correct.

22 Q. And you -- have you submitted that proposal
23 to the NIH?

24 A. I -- I have not.

25 Q. How many studies have you conducted

1 regarding transgender people?

2 A. I have not.

3 Q. How many studies have you conducted
4 regarding the treatment of transgender children and
5 adolescents?

6 A. I have not.

7 Q. How many studies have you conducted
8 regarding the treatment for gender dysphoria?

9 A. I have not.

10 Q. How many studies have you conducted
11 regarding the use of restrooms by transgender
12 students?

13 A. I have not.

14 Q. So you have no experience treating gender
15 dysphoria, right?

16 A. Treating gender dysphoria?

17 Q. Yes.

18 A. I have not -- as I said earlier, I have not
19 treated patients with gender dysphoria.

20 Q. And you have no experience conducting
21 studies regarding transgender youth and adolescents,
22 correct?

23 A. Conducting studies, I have not, as I said,
24 have not participated in any studies to date.

25 Q. And you have no experience conducting

1 studies regarding gender dysphoria?

2 A. I have not conduct -- as I said, I have not
3 conducted any studies on gender dysphoria.

4 Q. Nor have you published any literature
5 regard -- regard -- peer-reviewed literature regarding
6 gender dysphoria?

7 A. Peer-reviewed, no.

8 Q. So having no experience treating transgender
9 patients for gender dysphoria, no experience
10 conducting studies regarding transgender people, and
11 no experience publishing peer-reviewed literature
12 regarding transgender people, you consider -- do you
13 consider yourself an expert on transgender issues?

14 MR. KOSTELNIK: Object to form.

15 A. I am a physician/scientist who has
16 extensively read the literature for the merits, as I
17 do in any other condition, and I believe I have
18 expertise related to my role as a physician and a
19 scientist and a pediatric endocrinologist to
20 adequately assess the quality and quantity of the
21 literature that's present on this area.

22 Q. (By Mr. Gonzalez-Pagan) And having no
23 experience treating gender dysphoria, no experience
24 conducting studies -- scratch that.

25 Let's talk a little bit about your article,

1 with that, so --

2 Q. Is "Growing Pains" your only article on
3 transgender people and gender dysphoria?

4 A. Yes.

5 Q. Are you familiar with the St. John Paul II
6 Bioethics Center?

7 A. Absolutely.

8 Q. Is this St. John Paul II Bioethics Center a
9 religiously affiliated institution?

10 A. Yes, it is.

11 Q. Is it part of the Holy Apostles College and
12 Seminary?

13 A. Yes, it is.

14 Q. Did you speak at the St. John Paul II
15 Bioethics Center just three days ago, on Friday,
16 November 17th?

17 A. I did, yes.

18 Q. During your speech last Friday, did you --
19 you said, "The identity of the individual is
20 interactively linked to the body and the soul of the
21 person." Is that right?

22 MR. KOSTELNIK: Form.

23 A. Repeat that again, just so I make sure you
24 said that accurately.

25 Q. (By Mr. Gonzalez-Pagan) During your speech

1 last Friday, you said, "The identity of the individual
2 is interactively linked to the body and soul of a
3 person." Is that correct?

4 MR. KOSTELNIK: Form.

5 A. That is correct.

6 Q. (By Mr. Gonzalez-Pagan) During your speech
7 last Friday, you said about being transgender, that,
8 in fact, it probably goes back to some of the early
9 heresies in the church; is that correct?

10 A. The introduction that I was providing to
11 that audience was trying to put the context of the
12 discussion in the proper framework, and I specifically
13 made the statement that I am not a philosopher, that
14 I'm going to be talking about issues of science and
15 medicine. And it was an introduction to that talk
16 to -- for that audience.

17 Q. Okay. Do you know who Caitlyn Jenner is?

18 A. Yes, I do.

19 Q. Caitlyn Jenner is a transgender woman,
20 correct?

21 MR. KOSTELNIK: Form.

22 A. Caitlyn Jenner, formerly known as Bruce
23 Jenner, is somebody that has been widely advertised
24 in -- in the media related to the gender transition
25 that -- that Caitlyn underwent.

1 Q. (By Mr. Gonzalez-Pagan) Is Caitlyn Jenner
2 transgender?

3 A. By definition, yes.

4 Q. In referring to a picture of Caitlyn Jenner,
5 did you not say these pictures are often disturbing?

6 A. I did. And that was the slide --
7 specifically was the statement, not Caitlyn Jenner,
8 but there were two other pictures presented in that
9 talk of children saying I hate my body. That was what
10 I was referring to.

11 Q. Just to be clear, when it comes to the
12 treatment of transgender people and gender dysphoria,
13 your only publication is in a religiously-affiliated
14 journal and you've spoken to -- about the topic to
15 religiously-affiliated institutions?

16 MR. KOSTELNIK: Form.

17 A. I have offered to speak at all institutions
18 that have invited me. And to date, yes, that was --
19 that was the institute that -- that invited me to
20 speak last Friday.

21 Q. (By Mr. Gonzalez-Pagan) When did you first
22 become interested in the matter of transgender people
23 and the treatment of -- for gender dysphoria?

24 A. It was about five to six years ago, as chief
25 of our Division of Endocrinology, when the question

1 claims that were made by Drew Adams were
2 scientifically justified and accurate.

3 Q. And just to be clear, you're not a
4 psychiatrist?

5 A. That is correct.

6 Q. And you're not a psychologist?

7 A. That is correct.

8 Q. And you're not a mental healthcare provider
9 of any kind?

10 A. That is correct.

11 Q. Have you ever been a school administrator
12 for a public school?

13 A. I have not.

14 Q. Have you ever been a teacher for a public
15 school?

16 A. Not for a public school, unless you consider
17 my role as an educator at the university of -- or
18 Washington University a teacher.

19 Q. Let me clarify. Have you ever been a
20 teacher for K to 12 education?

21 A. No.

22 Q. Have you spoken with school administrators
23 with regards to the access to restrooms for
24 transgender students?

25 A. No.

1 Q. Just to clarify, did you submit an expert
2 report or a rebuttal report?

3 A. An -- an expert opinion report. And I also
4 submitted -- you requested information from prior
5 litigation, and that included a rebuttal report.

6 Q. Okay. So you know the difference between a
7 rebuttal report and an expert report?

8 A. Yes, I do.

9 Q. Okay. And the -- it is your understanding
10 that the report that you submitted in this case is an
11 expert report, not a rebuttal report?

12 A. That's my understanding. Again, I would
13 rely on the legal counsel to -- to clarify if I'm in
14 error there.

15 Q. What did you do to write your report?

16 A. Start back from five to six years ago when I
17 started investigating the scientific information.
18 I -- I -- I've gathered the information for the last
19 five to six years, and initially I was not doing that
20 for the purpose of writing an expert declaration. In
21 fact, at the beginning I had no clue that I would ever
22 be serving in this capacity.

23 But I drew upon that information that I
24 obtained in the reading of the literature over the
25 past five to six years, my conversations with parents

1 A. I provided everything that I have access to
2 right now that I can recall. I'm only stating that
3 there are likely other papers that I do not have
4 access to, because I did not keep track of it at the
5 time that I read them or looked at them.

6 Q. Okay. Have you spoken with Dr. Allan
7 Josephson?

8 A. Yes, I have.

9 Q. When?

10 A. On multiple occasions.

11 Q. Can you please describe?

12 A. I met Dr. Josephson within the last year
13 as -- it was probably in the spring at some point in
14 time, the first time that I actually met him. We've
15 had a number of conversations over this past year,
16 specifically related to his expertise as -- as a
17 psychiatrist and mine as an endocrinologist. I have
18 drawn upon him for questions related to psychiatric
19 issues that -- that I did not have expertise in, to
20 gather his opinion.

21 Q. In what capacity did you first
22 counter-interact with Dr. Josephson?

23 A. It was at a conference that was put together
24 to bring experts from various disciplines to this
25 question of -- of gender dysphoria.

1 Q. Who put that conference together?

2 A. The Alliance Defending Freedom.

3 Q. The Alliance Defending Freedom is a
4 religiously-affiliated institution, isn't it?

5 A. If you say so. I don't pay attention to
6 what their religious affiliation is.

7 Q. When was this conference?

8 A. It was in the -- I don't know the exact
9 date, but it was in the spring.

10 Q. Where was this conference?

11 A. It was in Phoenix.

12 Q. Aside from you and Dr. Josephson, do you
13 recall any other experts, physicians or clinicians
14 that attended this conference?

15 A. Yes, there were -- there was several other
16 psychiatrists and psychologists. I don't remember
17 their specific names, unfortunately. There were
18 people that are in the social sciences. There was one
19 other endocrinologist. I'm trying to remember who
20 else was there. There were several lawyers from the
21 ADA.

22 Q. Do you have any documents pertaining to this
23 conference?

24 A. Not that I saved, no.

25 Q. Just to clarify, is there anything you

1 university, they offer gender-affirming treatment for
2 gender dysphoric youth?

3 A. Yes, they do.

4 Q. Do they offer reparative treatment as a
5 treatment for gender dysphoria at Boston Children's
6 Hospital?

7 MR. KOSTELNIK: Form.

8 A. The word reparative therapy covers a lot of
9 connotation by different people but to my
10 understanding, they do not make any specific effort in
11 counseling to lead to the realignment of gender with
12 sex, if that's what you mean by conversion therapy.

13 Q. Before you started researching the issues of
14 dysphoria around five years ago, had you met with
15 Dr. Spack then?

16 MR. KOSTELNIK: Form.

17 A. Prior to five years ago, I do not recall a
18 specific encounter yet. I'm sure we interacted at
19 some point at one of the international meetings.

20 Q. (By Mr. Gonzalez-Pagan) In Paragraph 7, you
21 state that you have met with parents of children with
22 gender dysphoria; is that correct?

23 A. That is correct.

24 Q. In what capacity have you met with the
25 parents of transgender children?

1 A. Again, this was at the very early time frame
2 when I was trying to investigate the claims for the
3 treatment and care, and I wanted to get as
4 comprehensive of a viewpoint as I could. The first
5 encounter I had was with a mother of an organization
6 called Trans Parent Child, and I sat down for lunch
7 with her for an extended period of time, more to
8 listen to the experience that she had in countering a
9 transgender child that she had.

10 Q. With how many parents of transgender
11 children have you met?

12 A. Met or spoken on the phone? I think lately
13 many of them have been over the telephone. I would
14 say it's less than a dozen, but it's quite a few, and
15 it's actually increased certainly since the
16 publication of the "New Atlantis" article.

17 Q. So in the last five years, you've spoken to
18 less than a dozen parents of transgender children?

19 A. Yes.

20 Q. When you first met with the parent of the --
21 associated with the organization Trans Parent, was
22 this before you dealt -- scratch that.

23 MR. GONZALEZ-PAGAN: You're going to object
24 anyway.

25 Q. (By Mr. Gonzalez-Pagan) When you met with

1 the parent associated with the association Trans
2 Parent, had you already delved into the literature
3 regarding gender dysphoria?

4 A. I was starting the process. It was very
5 early on, so I don't recall the exact timing. I had
6 read some papers, but I was still in the very early
7 investigative phase.

8 Q. You said you have been contacted by parents
9 since the publishing of your article "Growing Pains."
10 Is that correct?

11 A. That is correct.

12 Q. How many have contacted you since the
13 publishing of the article "Growing Pains"?

14 A. I'm not keeping track of that.

15 Q. Less than 35?

16 A. It may be more than five. Probably less
17 than a dozen.

18 Q. What did you discuss with the parents of the
19 transgender children that have contacted you since the
20 publishing of your article "Growing Pains"?

21 A. I specifically discussed the context of my
22 "New Atlantis" article in my role as a physician,
23 which I always take as being a teacher. I try to
24 educate them on my understanding of the condition and
25 the treatment paradigm that was being offered to their

1 access the bathrooms as the cause of Drew's distress
2 is not supported.

3 Q. But you're not a mental health provider,
4 right?

5 A. That is correct.

6 Q. And you've never met with Drew, right?

7 A. That is correct.

8 Q. Let's go back to the meetings with parents
9 that you had when you were first delving into this
10 topic?

11 A. Very good.

12 Q. You discussed that you met with a parent
13 associated with an organization called Trans Parent;
14 is that correct?

15 A. That is correct.

16 Q. What did you learn from that meeting?

17 A. I learned quite few things. The most
18 important thing that I learned, and that was what I
19 was actually seeking in the interaction, was to really
20 understand the suffering that was going on in this
21 family. I wanted to understand the dynamics of what
22 was going on in the family, the approach that the
23 parents had in dealing with the presentation of their
24 child, what they had attempted to do to address this
25 particular issue, and at that point in time, I was

1 approaching this in a purely investigative manner. I
2 did more listening than anything else, asking
3 questions about their lived experience.

4 Q. What did the parent tell you?

5 A. Well, that was many years ago, but I will
6 try to summarize my recollection of that conversation.
7 This was with the mother. And she shared that this
8 child, who was a prepubertal in early grade school,
9 told her, when the mother was talking -- they were
10 combing hair or something of that nature -- that she
11 would -- he, at that time, was a girl, so she was
12 referring to him as a girl, and that the parents'
13 reaction initially was shock, fear, trying to
14 understand what was going on, trying to be able at
15 that time -- this was early on in this resurgence --
16 or emergence, I should say of this discussion that's
17 going on socially, so there wasn't, at that time, a
18 lot of resources being published on the Internet.

19 So she shared her attempt to look at what
20 experience people have had with this particular
21 condition. And I saw at that time, certainly a parent
22 that was desiring to do the best for their child, but
23 having questions that were not answered, and at that
24 time, with the information I had, I was certainly not
25 able to provide any answers. And, in fact, at this

1 point in time, I don't think I would have been able to
2 specifically answer the questions that she had as far
3 as long-term outcomes, because we don't have that
4 information. It was a very respectful conversation.
5 It was very helpful. I think that it was mutually
6 beneficial, but, again, the purpose was for me to
7 understand this particular family and their experience
8 with transgender identity.

9 Q. What is the organization Trans Parent?

10 A. All I know is it's a -- it's supposed to be
11 a support group, and I think that the parents
12 themselves, the woman I talked to at that time was
13 trying to get out information so other people
14 understood what they were experiencing.

15 Q. In that meeting with the parents of a
16 transgender -- let me scratch that.

17 The next set of the questions I'm just going
18 to be focusing on that one parent.

19 A. Okay.

20 Q. In that meeting with the parent of the
21 transgender child, did you ever tell the parent that
22 their child was not normal and would never be normal?

23 A. I did not, because I was still investigating
24 and trying to understand what was going on.

25 Q. In that meeting with the parent of that

1 transgender child, did you ever tell that parent that
2 their transgender son was a girl and would never be a
3 boy?

4 A. I never said that, no.

5 Q. In that meeting with the parent of that
6 transgender child, have you ever told -- scratch that.

7 In that meeting with the parent of a
8 transgender child, did you ever tell the parent that
9 surgeries attempting to change sex was wrong and went
10 against God's plan for humanity?

11 A. No, not that I recall. That was many years
12 ago, but I don't remember that, no.

13 Q. In that meeting with the parents of the
14 transgender child, did you not urge them to read Pope
15 John Paul II's writing on gender to fully understand
16 God's plan regarding gender?

17 A. Thank you for reminding me. That was a long
18 time ago, so this is bringing back some information.
19 I believe that -- this was a personal conversation.
20 This was a one-on-one conversation, and I think at the
21 time that we began talking about that, she started
22 relating her personal faith training, and I never back
23 away from those conversations when people are asking
24 me those questions, and I think that that's what led
25 to that particular conversation.

1 in individuals. That was the intent of that
2 statement, and I believe it is the useful statement
3 for that purpose.

4 Q. I get that, so I'm not -- and I'm not trying
5 to be like moving to strike here all the time, and I'm
6 not trying to, but the question is, do you think that
7 the limitation that those studies don't distinguish
8 between post-pubescent and pre-pubescent youth is
9 important?

10 A. I think that it is certainly something that
11 needs to be considered, yes.

12 Q. Okay. Do you think you should have
13 disclosed that to the court?

14 MR. KOSTELNIK: Object to form.

15 A. For the purposes of putting my declaration
16 together, I believe that I adequately summarized my
17 understanding of the situation related to Drew Adams'
18 case.

19 Q. (By Mr. Gonzalez-Pagan) Drew Adams, by your
20 own testimony, is a post-pubescent teenager?

21 A. That is correct.

22 Q. Don't you think that that limitation should
23 have been disclosed to the court?

24 MR. KOSTELNIK: Object to form.

25 A. Drew Adams was also a late onset gender

1 dysphoric individual, and that is a population that
2 was not covered in these studies, and there is no
3 evidence as to what the outcome is in those
4 individuals.

5 Q. (By Mr. Gonzalez-Pagan) Okay. So in any
6 event, the studies, then, that you cite are
7 inapplicable to Drew Adams?

8 MR. KOSTELNIK: Form.

9 A. I believe that they are applicable to him in
10 the context of what is known, and I will assert
11 there's so much that is unknown about this condition,
12 I think it is relevant based on the quality of
13 evidence that is and needs to be considered by the
14 court.

15 Q. Are there any other limitations to the
16 studies to which you cite in Paragraph 28?

17 A. There are many limitations to the studies.
18 Most of the earlier studies had very small sample
19 lines. There is -- again, since they were done over
20 an extended period of time, the cultural milieu has
21 changed, and so I think that there are many, many
22 limitations of the studies, and that certainly needs
23 to be considered. The fact is that they've all shown
24 consistently the same result despite the fact that
25 they were done in different patient populations during

1 marked as Exhibit C -- 6. Can you please mark -- go
2 to the Page 2205. It's the last page.

3 A. Yeah, okay. Okay.

4 Q. Could you please read for me the
5 conclusions -- well, actually, let's go back. Do you
6 recognize this document?

7 A. Yes, I do.

8 Q. What is it?

9 A. It -- well, it's a treatment -- an update on
10 the treatment and outcomes of precocious puberty.

11 Q. Okay. Is this a peer-reviewed journal
12 article?

13 A. It looks like it's a -- a statement. I'm
14 not sure exactly. It's a JC&M, so it probably went
15 through some -- a peer-reviewed process, yes.

16 Q. Okay. Let's go to the conclusions, please.

17 A. Okay.

18 Q. Could you please read the conclusions for
19 me?

20 A. "Precocious puberty is a common problem seen
21 in pediatric endocrinology practice. Identification
22 of the child with pathological pubertal development
23 allows for accurate diagnosis and application of
24 current treatment strategies. Recent improvements in
25 therapeutic agents allow for a complete suppression of

1 CPP with less discomfort to the patient and
2 improvement of height outcomes, particularly those
3 less than six years old."

4 "Our major gaps in understanding lie in the
5 area of long-term outcomes, including endocrine and
6 metabolic effects of precocious puberty. The most
7 striking deficit is the lack of long-term data on the
8 psychological and behavioral effects of precocious
9 puberty and the effects of GNRHA treatment. We can
10 anticipate additional information on these aspects in
11 the years to come."

12 Q. Is it safe to say that this article
13 concludes that there's a lack of long-term data on the
14 effects of the treatment of precocious puberty with
15 puberty blockers?

16 A. That is correct.

17 Q. Yet you said that you provide puberty
18 blockers as a treatment for precocious puberty?

19 A. That is correct.

20 Q. How does that square with your concern of
21 providing gender-affirming treatment due to the lack
22 of long-term data?

23 MR. KOSTELNIK: Form.

24 A. So any decision that a practitioner makes is
25 made on a risk/benefit analysis, and the risk/benefit

1 Q. Are you aware that the AMA, quote, "opposes
2 the use of reparative or conversion therapy for sexual
3 orientation or gender identity"?

4 MR. KOSTELNIK: Form.

5 A. I'm aware of the WPATH saying that, and I --
6 I believe it may also be in the AMA statement as well.

7 Q. (By Mr. Gonzalez-Pagan) Are you aware that
8 the American Academy of Pediatricians has stated that,
9 quote, "In no situation is a referral for conversion
10 or reparative therapy indicated"?

11 A. I'm aware of that statement, yes.

12 Q. Are you aware that a publication by the
13 American Psychological Association and the U.S.
14 Department of Health and Human Services states that
15 interventions -- quote, "Interventions aimed at a
16 fixed outcome, such as gender conformity or
17 heterosexual orientation, including those aimed at
18 changing gender identity, gender expression and sexual
19 orientation are coercive, can be harmful and should
20 not be part of the behavior health treatment"?

21 MR. KOSTELNIK: Form.

22 A. I am aware of that statement, but there is
23 no scientific evidence to support that statement.

24 Q. (By Mr. Gonzalez-Pagan) On what basis do you
25 disagree with that statement?

1 A. I never said that I was advocating for one
2 position to the other. I merely said that there's no
3 science to back up the assertion that this is -- needs
4 to be mandated.

5 Q. So do you believe that Drew Adams should not
6 be allowed to use the boys' restroom?

7 MR. KOSTELNIK: Object to form.

8 A. I have never made a school policy. I'm not
9 a witness making any opinions about what the school
10 policy is. I'm merely stating what the science is
11 behind the treatment paradigm that is currently being
12 advocated.

13 Q. (By Mr. Gonzalez-Pagan) So you do not have
14 any opinion as to whether the current policy should or
15 should not be implemented at St. John's County School
16 District?

17 A. That would require me to have experience as
18 a school administrator or making school policies,
19 which I do not have that experience.

20 Q. So again, can you say whether the current
21 policy of the School Board of St. John's County should
22 or should not be implemented?

23 MR. KOSTELNIK: Form.

24 A. Again, I said I don't have the
25 qualifications as far as making school policy to make

1 outcome as far as persistence or desistence.

2 Q. When did you first speak to Dr. Josephson
3 about this case?

4 A. Oh, I believe it was within the last month.

5 Q. Did you speak to Dr. Josephson before or
6 after you were retained as an expert in this case?

7 A. After.

8 Q. Just cleaning up a little bit. Going back
9 to 2012 and 2013 again, you testified that you spoke
10 to Dr. Norman Spack around 2012 and 2013?

11 A. Yes.

12 Q. Can you please describe that conversation
13 for us?

14 A. Dr. Spack had come to Washington University
15 and presented his treatment approach in the context of
16 all the discussion that was going on at that time as
17 to whether we should initiate the transgender
18 treatment program. In addition to the talk and the
19 question session after that, we had a panel or
20 actually a round table discussion with a number of the
21 different providers, not only within the Endocrine
22 Division, but also with a representative from
23 adolescent medicine, our psychologist, a number of
24 different individuals. This was at the time when the
25 Endocrine Society acknowledged that this care was

1 controversial, that it was unsettled as far as the
2 science was concerned, and there was lots of
3 discussion going on not only at my university, but at
4 the national level.

5 The discussion at that time revolved around
6 all of -- much of the data that I had not fully read.
7 I read some of the papers, but I certainly hadn't read
8 all of them, and there were differing opinions
9 expressed at that point in time. The individuals that
10 have gone on to direct that clinic and -- were
11 certainly taking one approach, in my opinion, even at
12 that time, made comments that -- of where my questions
13 were related to that condition.

14 And I distinctly remember, and this actually
15 led into the "New Atlantis" article at the very end,
16 Dr. Spack recognized that I was unconvinced by the
17 level of scientific evidence supporting this care, and
18 I distinctly remember him saying, "Well, if you can't
19 accept cross-hormone treatment, at least do puberty
20 suppression because it's safe and reversible." And
21 that's almost a verbatim quote, and I've heard this by
22 many other individuals as well. And that prompted me
23 to investigate the claims about whether that truly was
24 safe and reversible, and that led to the "New
25 Atlantis" publication.

1 Q. What were the opinions expressed by
2 Dr. Spack besides saying that puberty blockers were
3 safe and reversible?

4 A. He essentially made the argument based upon
5 the Dutch experience that this was necessary to
6 prevent individuals from committing suicide, that this
7 was a life-saving intervention, and he took quite
8 great pride in being able to participate at that stage
9 of his career in that intervention. As far as the
10 data presented at that time that this was a long-term
11 solution, was not offered by Dr. Spack, and certainly
12 the concerns related to the medical risks, and I
13 believe at that point in time we were talking a little
14 bit about philosophical discussions as well, as far as
15 what it means to be a man and what it means to be a
16 women. It was a very respectful conversation, but at
17 the level of scientific evidence to support what he
18 was recommending, I found it completely lacking.

19 Q. What do you mean by philosophical
20 conversations about what it means to be a man and what
21 it means to be a woman?

22 A. I mean exactly what it says. I don't
23 remember the details of the conversation.

24 (Phone ringing. Whereupon an off-the-record
25 discussion was held.)

1 Q. (By Mr. Gonzalez-Pagan) Do you need me to
2 restate the question?

3 A. Please.

4 Q. What do you mean by the philosophical
5 conversations about what it means to be a man and what
6 it means to be a woman?

7 A. I would say it includes the discussion of --
8 from a biological standpoint about what it means to be
9 a women. At that point in time there was lots of
10 discussion about the terms "sex gender," "gender
11 identity" and "sexual orientation" that was included
12 in that discussion. There was, I believe at that
13 point in time, a lot of conflicting assertions that
14 were being made by different people about whether sex
15 and gender were the same or different, and the
16 arguments were being made pro and con. More specific
17 details, I don't recall.

18 Q. And you stated that there was controversy
19 about the provision of care for gender dysphoria at
20 the time in the Endocrine Society?

21 A. That is correct. My first recollection was
22 at one of the national Pediatric Endocrine Society
23 meetings when this new paradigm was introduced. And
24 as I recall, there was a very strong reaction by a
25 number of members of the audience related to what was

1 amount of experience that somebody who is a
2 clinical -- a full-time clinician versus -- now, I --
3 I know from my own experience many people that are
4 listed on those clinical studies were not the ones
5 that designed the trial. They're not the ones
6 analyzing the data. Their role usually in those
7 studies, as clinical faculty, are usually in filling
8 out and the protocols that are present for those. And
9 now the specifics of the trial that she's involved
10 with, I would have to look in more detail to assess
11 that in -- in greater detail.

12 Q. Okay. Do you know what her role is?

13 A. You'll have to tell me what the study is
14 and -- and give me more information to be able to do
15 that.

16 Q. Did you review Dr. Ehrensaft's expert --
17 expert report in this case?

18 A. I did.

19 Q. Have you published any peer-reviewed
20 literature regarding gender dysphoria or transgender
21 youth?

22 A. These are questions that I've already
23 answered, and the answer is no.

24 Q. Okay. Are you aware that Dr. Ehrensaft has
25 published a number of peer-reviewed articles regarding

PAUL W. HRUZ, M.D., Ph.D. 7/16/2018

1 IN THE UNITED STATES DISTRICT COURT FOR
2 THE DISTRICT OF SOUTH DAKOTA

3
4 TERRI BRUCE,)
5 Plaintiff,)
6 vs.) No. 17-5080
7 STATE OF SOUTH DAKOTA and)
8 LAURIE GILL, in her official)
9 capacity as Commissioner of)
10 of the South Dakota Bureau)
11 of Human Resources,)
12 Defendants.)

13
14 DEPOSITION OF DR. PAUL W. HRUZ, M.D., Ph.D.
15 TAKEN ON BEHALF OF THE PLAINTIFF
16 JULY 16, 2018
17
18

19 (Starting time of the deposition: 8:49 a.m.)
20
21
22

23 **Exhibit**
24 **0003**
25 9/29/2021
Hruz

PAUL W. HRUZ, M.D., Ph.D. 7/16/2018

1	I N D E X		
2			PAGE
3	QUESTIONS BY:		
4	Ms. Cooper		5
5			
6			
7	E X H I B I T S		
8			
9	EXHIBIT	DESCRIPTION	PAGE
10	Exhibit 1	Dr. Hruz Expert Declaration	40
11	Exhibit 2	Cross Sex Steroids Article	51
12	Exhibit 3	International Conference/Madrid Document	56
13	Exhibit 4	About The National Catholic Bioethics	
14		Quarterly	69
15	Exhibit 5	Declaration of Dr. Spack	84
16	Exhibit 6	Endocrine Treatment of Gender Dysphoria;	
17		Clinical Practice Guideline	223
18	Exhibit 7	Expert Declaration of Dr. Paul Hruz	
19		- Adams Case	262
20	Exhibit 8	National Catholic Certification Program	
21		in Health Care Ethics	293
22	(Original exhibits retained by the court reporter to		
23	be copied and attached to the transcript.)		
24			
25			

ALARIS LITIGATION SERVICES

www.alaris.us

Phone: 1.800.280.3376

Fax: 314.644.1334

PAUL W. HRUZ, M.D., Ph.D. 7/16/2018

Page 4

1 A P P E A R A N C E S

2 FOR THE PLAINTIFF:

3 Ms. Leslie Cooper

4 Mr. Joshua A. Block

5 American Civil Liberties Union Foundation

6 125 Broad Street, 18th Floor

7 New York, New York, 10004

8 Lcooper@aclu.org

9 Jblock@aclu.org

10

11 FOR THE DEFENDANT:

12 Mr. Jerry D. Johnson

13 Jerry Johnson Law Office

14 909 St. Joseph Street, Suite 800

15 Rapid City, South Dakota, 57701

16 Jdjbjck@aol.com

17

18

19

20

21 Ms. Rebecca Brewer, RPR, CCR, CRR

22 Alaris Litigation Services

23 711 North Eleventh Street

24 St. Louis, Missouri, 63101

25 (314) 644-2191

ALARIS LITIGATION SERVICES

www.alaris.us

Phone: 1.800.280.3376

Fax: 314.644.1334

PAUL W. HRUZ, M.D., Ph.D. 7/16/2018

Page 25

1 clarify what you mean by formal education.

2 **Q Well, I'll ask broadly; any kind of**
3 **training of any sort that a doctor would get in the**
4 **course of, you know, either their initial medical**
5 **education or continuing education.**

6 A So, working at a major academic
7 institution, we're actually charged with providing
8 medical education and so, to the extent that we've
9 held journal clubs that we've had presentations with
10 my colleagues where we've discussed the scientific
11 evidence, where we've gone formally through the DSM
12 Guidelines, where we've gone through the Endocrine
13 Society Guidelines, that has been done at my
14 institution. Have I sought out and gone to a
15 separate conference related to gender dysphoria?
16 The answer is no.

17 **Q But, at your own institution, you've**
18 **participated in these interactions, these journal**
19 **clubs and other activities that address gender**
20 **dysphoria and the treatment for gender dysphoria?**

21 A That is a standard -- that is one of the
22 components of what we do for all the conditions that
23 endocrinologists are engaged in.

24 **Q Okay. Have you conducted any research**
25 **related to gender dysphoria or the treatment of**

ALARIS LITIGATION SERVICES

www.alaris.us

Phone: 1.800.280.3376

Fax: 314.644.1334

PAUL W. HRUZ, M.D., Ph.D. 7/16/2018

Page 26

1 **gender dysphoria?**

2 A No formal trials, no.

3 **Q Any other research?**

4 A I've been in the area of HIV research for
5 20 years and conducted a number of scientific
6 studies that -- but not directly related to gender
7 dysphoria.

8 **Q Yeah, I'm sorry if I was unclear. I**
9 **didn't -- I know you've done research, but in the**
10 **area of gender dysphoria, no research, is that**
11 **right?**

12 A I have not done any -- I'm not a clinical
13 trials physician scientist. I'm a bench scientist.

14 **Q What does that mean?**

15 A I conduct laboratory research, so I'm
16 engaged in hypothesis-driven research.

17 **Q Okay. So, talking about research broadly,**
18 **you haven't conducted any form of research relating**
19 **to gender dysphoria, is that right?**

20 A No, I have. I would consider research in
21 looking at the extensive literature that's there is
22 research. It's not a randomized controlled trial,
23 it's not a formal study, but that would fit within
24 the domain of research.

25 **Q You mean reviewing research that was**

ALARIS LITIGATION SERVICES

www.alaris.us

Phone: 1.800.280.3376

Fax: 314.644.1334

PAUL W. HRUZ, M.D., Ph.D. 7/16/2018

Page 27

1 **published by other people? Is that what you mean?**

2 A So, again, we can define research in many
3 different ways. If you're asking the question about
4 research, about gathering information, about the
5 evidence that's available, I've done a considerable
6 amount of research and that has consisted of looking
7 at what published data is available supporting the
8 recommendations that are being made. That I would
9 consider research, but it is not a clinical trial.

10 **Q Okay. And what people might call studies,**
11 **scientific studies, have you done any scientific**
12 **studies?**

13 A Again, how you define studies, again, I
14 have not done clinical trials.

15 **Q Okay. When you were deposed in the Adams**
16 **case, November, I believe it was, last year, you**
17 **mentioned you were in the process of responding to a**
18 **research funding announcement by the NIH to do**
19 **research related to gender dysphoria or gender**
20 **identity issues. Did I get that right?**

21 A Yes.

22 **Q Can you tell me the status of that?**

23 A Yes. There are a number of logistical
24 issues that are needing to be worked out. There is
25 no funding for that particular study going on,

ALARIS LITIGATION SERVICES

www.alaris.us

Phone: 1.800.280.3376

Fax: 314.644.1334

PAUL W. HRUZ, M.D., Ph.D. 7/16/2018

Page 28

1 recruiting the people that are going to be necessary
2 to conduct that study, again, I'm a pediatric
3 endocrinologist. And to my knowledge, you know,
4 that hasn't moved much beyond the initial planning
5 stages. The proposal itself was a suggestion to
6 address the question of -- a very particular
7 question of the effects of pubertal blockade on the
8 trajectory as far as the number of individuals that
9 went on to cross hormone therapy and those that did
10 not.

11 **Q So, did you ever submit a proposal to NIH**
12 **to do this research?**

13 A No.

14 **Q Okay. Did you ever respond to the funding**
15 **announcement in any way?**

16 A Depends on how you say "respond." I've
17 already said I did not submit a proposal. I have
18 taken that to colleagues. In fact, I've had very
19 recent discussions with my colleague at Washington
20 University that is interested in starting some sort
21 of research effort. And I could speak at length of
22 what I've recommended to him as far as how these
23 studies should be conducted. I've been very
24 disappointed that the rigor -- scientific rigor
25 that's necessary for those studies is not currently

ALARIS LITIGATION SERVICES

www.alaris.us

Phone: 1.800.280.3376

Fax: 314.644.1334

PAUL W. HRUZ, M.D., Ph.D. 7/16/2018

Page 39

1 realignment of gender identity with sex that occurs
2 when people do not get pubertal blockade, to the
3 results of that particular -- again, it was a very
4 small study -- would lead to that being asked as a
5 hypothesis as to whether that intervention itself
6 might have been influencing the outcome.

7 **Q So, just to make sure I'm clear, it is**
8 **still just a hypothesis that pubertal blockade could**
9 **lead to persistence? That's not been proven?**

10 A That is correct. And the opposite has not
11 been proven as well.

12 **Q I understand. Okay. Let's take your**
13 **report from this case. Actually, before we turn to**
14 **that, I forgot to ask one other question. Do you**
15 **have experience conducting clinical trials on any**
16 **topic?**

17 A I've only been involved in one clinical
18 trial. It's a very small study and my role was very
19 minor.

20 **Q And what was that topic?**

21 A It was on the influence of insulin
22 sensitivity on cardiac function.

23 **Q I see. So clinical trials isn't your area**
24 **of expertise?**

25 A That is correct.

ALARIS LITIGATION SERVICES

www.alaris.us

Phone: 1.800.280.3376

Fax: 314.644.1334

PAUL W. HRUZ, M.D., Ph.D. 7/16/2018

Page 147

1 **the meeting was?**

2 A He was trying to convene a meeting so we
3 could discuss the issues related to gender
4 dysphoria. There was -- they were searching for
5 somebody from the endocrine field that would be
6 willing to talk over the issues that I had expertise
7 in, that I had developed my understanding of what
8 the literature showed, and he specifically said,
9 You've got expertise in this area and we'd like to
10 learn.

11 **Q And did they talk about a need to develop**
12 **expert witnesses for litigation?**

13 A You know, I think that was implicit. I
14 don't think that was -- I mean, I was not surprised
15 when I was asked to serve as an expert. I'd
16 actually submitted a declaration prior to that
17 meeting. And I'm not sure exactly how that -- any
18 of the details how I was asked to do that, but so I
19 had already done some of the work there, so I made
20 the assumption that that was one of the reasons why
21 he invited me down.

22 **Q Okay. So, the folks there were people who**
23 **would potentially be expert witnesses in litigation?**

24 A Not everyone that was there. I think
25 there were people that explicitly said, I'm not

ALARIS LITIGATION SERVICES

www.alaris.us

Phone: 1.800.280.3376

Fax: 314.644.1334

UNITED STATES DISTRICT COURT
FOR THE MIDDLE DISTRICT OF FLORIDA
JACKSONVILLE DIVISION

No. 3:17-cv-00739-TJC-JBT

DREW ADAMS, et al.,

Plaintiff,

v.

THE SCHOOL BOARD OF ST. JOHNS
COUNTY, FLORIDA,

Defendant.

DECLARATION OF DR. NORMAN P. SPACK, M.D.

I, Norman P. Spack, pursuant to 28 U.S.C. § 1746, hereby declare as follows:

1. I am over the age of eighteen and submit this declaration based on my personal knowledge.
2. If called to testify, I would testify truthfully based on my own experience and knowledge regarding the matters discussed herein.
3. I am a pediatric endocrinologist. I began practicing pediatric endocrinology in 1976 at Boston Children’s Hospital. I have an undergraduate degree from Williams College, a medical degree from the University of Rochester, and completed my pediatrics residency and fellowship in Pediatric Endocrinology and Adolescent Medicine at Boston Children’s Hospital.



4. I am an Associate Physician in Medicine at Boston Children's Hospital, the Co-Founder and Co-Director *Emeritus* of the Gender Management Service (GeMS) Program at Boston Children's Hospital, and an Associate Clinical Professor of Pediatrics at Harvard Medical School in Massachusetts.

5. In 2007, I co-founded the GeMS Program at Boston Children's Hospital. The first-of-its-kind program in the United States, GeMS provides comprehensive care to the unique group of gender nonconforming and transgender children and adolescents. The GeMS team consists of providers from Endocrinology, Psychology, and Social Work, and works closely with specialists in other departments in the hospital such as Adolescent Medicine, Urology, and Plastic Surgery to develop individual care plans that meet every child's medical and emotional needs, as well as the family's need for information and support.

6. Since its founding, the GeMS Program has been replicated by over 60 similar programs at pediatric academic centers in North America, including the now Transgender Center at St. Louis Children's Hospital.

7. In 2012, I was awarded a Bicentennial Medal by Williams College in recognition for distinguished achievement in the field of pediatric endocrinology and for helping reduce the suicide rate among transgender adolescents through my work with GeMS.

8. On or about October 9, 2013, I gave a presentation at St. Louis Children's Hospital regarding the founding of GeMS, the workings of a gender management program at pediatric hospital, and the medical treatment and care of gender nonconforming and transgender children and adolescents.

9. Following my presentation, I privately met with medical staff, including endocrinologists, at St. Louis Children's Hospital to answer their questions and share my knowledge and experience.

10. It was in the aforementioned context that I also met privately with Dr. Paul W. Hruz at St. Louis Children's Hospital when he approached me after my presentation.

11. During my private meeting with Dr. Hruz, Dr. Hruz directly expressed that he had "a significant problem with the entire issue" and "whole idea of transgender."

12. Dr. Hruz followed up his comments by stating, "For me, it is a matter of my faith."

13. During our conversation, Dr. Hruz did not discuss or mention that his issues or concerns were based on science.

14. In my experience, someone who acts out of science would go and see how gender management clinics work in order to form their opinions.

This declaration was executed on this ___ day of December, 2017 in Boston, Massachusetts.

Pursuant to 28 U.S.C. § 1746, I declare under penalty of perjury that the foregoing is true and correct.

Norman P. Spack, M.D.

9. Following my presentation, I privately met with medical staff, including endocrinologists, at St. Louis Children's Hospital to answer their questions and share my knowledge and experience.

10. It was in the aforementioned context that I also met privately with Dr. Paul W. Hruz at St. Louis Children's Hospital when he approached me after my presentation.

11. During my private meeting with Dr. Hruz, Dr. Hruz directly expressed that he had "a significant problem with the entire issue" and "whole idea of transgender."


12. Dr. Hruz followed up his comments by stating, "For me, it is a matter of my faith."

13. During our conversation, Dr. Hruz did not discuss or mention that his issues or concerns were based on science.

14. In my experience, someone who acts out of science would go and see how gender management clinics work in order to form their opinions.

This declaration was executed on this 5 day of December, 2017 in Boston, Massachusetts.

Pursuant to 28 U.S.C. § 1746, I declare under penalty of perjury that the foregoing is true and correct.


Norman P. Spack, M.D.

UNITED STATES DISTRICT COURT
EASTERN DISTRICT OF WISCONSIN

ASHTON WHITAKER, a minor, by his
mother and next friend,
MELISSA WHITAKER,

Plaintiff,

Civ. Action No. 2:16-cv-00943

KENOSHA UNIFIED SCHOOL DISTRICT
NO. 1 BOARD OF EDUCATION and SUE
SAVAGLIO-JARVIS, in her official capacity as
Superintendent of the Kenosha Unified School District
No. 1,

Defendants.

EXPERT DECLARATION of Paul W Hruz, M.D., Ph.D

1. I have been retained by counsel for Defendants as an expert in connection with the above-captioned litigation. I have actual knowledge of the matters stated in this declaration. My professional background, experience, and publications are detailed in my curriculum vitae, a true and accurate copy which is attached as Exhibit A to this declaration.

2. I received my doctor of philosophy degree from the Medical College of Wisconsin in 1993. I received my medical degree from the Medical College of Wisconsin in 1994. I am an Associate Professor of Pediatrics in the Division of Pediatric Endocrinology and Diabetes at Washington University School of Medicine. I also have a secondary appointment as Associate Professor of Cellular Biology and Physiology in the Division of Biology and Biological Sciences at Washington University School of Medicine. I served as chief of the Division of Pediatric Endocrinology and Diabetes at Washington University from 2012-2017. I served as the

Pl. Trial Ex. 093

Director of the Pediatric Endocrinology Fellowship Program at Washington University from 2008-2016.

3. I am board certified in Pediatrics and Pediatric Endocrinology. I have been licensed to practice medicine in Missouri since 2000.

4. My professional memberships include the American Academy of Pediatrics, the Pediatric Endocrine Society, the Endocrine Society, and the American Association for Biochemistry and Molecular Biology.

5. I have extensive experience in treating infants and children with disorders of sexual development and am an active member of the multidisciplinary Disorders of Sexual Development (DSD) program at Washington University. The DSD Team at Washington University is part of the DSD-Translational Research Network, a national multi-institutional research network that investigates the genetic causes and the psychologic consequences of DSD.

6. In the nearly 20 years that I have been in clinical practice I have participated in the care of hundreds of children with disorders of sexual development. In the care of these patients, I have acquired expertise in the understanding and management of associated difficulties in gender identification.

7. In my role as the director of the Division of Pediatric Endocrinology at Washington University, I have extensively studied the existing literature related to the incidence, potential etiology and treatment of gender dysphoria as efforts were made to develop a Transgender clinic at Saint Louis Children's Hospital. I have participated in local and national meetings where the endocrine care of children with gender dysphoria has been discussed and debated. I have met individually with several pediatric endocrinologists, including Dr. Norman Spack, who have developed and led transgender programs in the United States. I have also met with parents of

children with gender dysphoria to understand the unique difficulties experienced by this patient population.

8. Pediatric patients referred to our practice for the evaluation and treatment of gender dysphoria are cared for by an interdisciplinary team of providers that includes a psychologist and pediatric endocrinologist who have been specifically chosen for this role based upon a special interest in this rare patient population. Due to serious concerns regarding the safety, efficacy, and ethics of the current treatment paradigm, I have not directly engaged in hormonal treatment of patients with gender dysphoria.

9. My opinions as detailed in this declaration are based upon my knowledge and direct professional experience in the subject matters discussed. The materials that I have relied upon are the same types of materials that other experts in my field of clinical practice rely upon when forming opinions on the subject. The documents that I have reviewed specifically related to this case are 1.) the medical records for Savannah "Ash" Whitaker (AW0124-AW0223), 2.) the transcript of the deposition of medical expert witness Danial Shumer, MD, MPH, and 3.) the defendants' answer to plaintiff's amended complaint filed on October 18, 2016. A list of the published literature I have relied on is attached as Exhibit B to this declaration.

10. Over my career, I have provided expert medical record review and testified at deposition in less than a dozen cases. I have not given a deposition as an expert witness since 2012 and I have never testified at trial.

11. I am being compensated at an hourly rate for actual time devoted, at the rate of \$300 per hour. My compensation does not depend on the outcome of this litigation, the opinions I express, or the testimony I provide.

Case Details

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

Basic Terminology

13. Biological sex is a term that specifically refers to a member of a species in relation to the member's capacity to either donate (male) or receive (female) genetic material for the purpose of reproduction. This remains the standard definition that has been accepted and used by scientists, medical personnel, and society in general.

14. Gender, a term that had traditionally been reserved for grammatical purposes, is currently used to describe the psychologic and cultural characteristics of a person in relation to biological

sex. Gender therefore exists in reference to societal perceptions, not biology.

15. Gender identity refers to a person's individual perception of being male or female.

16. Sexual orientation refers to a person's arousal and desire for sexual intimacy with members of the male or female sex.

Human sexuality in relation to fundamental biology and observed variations

17. Sex is genetically encoded at the moment of conception due to the presence of specific DNA sequences (i.e. genes) that direct the production of signals that influence the formation of the gonad to develop either into a testis or ovary. This genetic information is normally present on X and Y chromosomes. Chromosomal sex refers to the normal complement of X and Y chromosomes (i.e. normal human males have one X and one Y chromosome whereas normal human females have two X chromosomes). Genetic signals are mediated through the activation or deactivation of other genes and through programmed signaling of hormones and cellular transcription factors. The default pattern of development in the absence of external signaling is female. The development of the male appearance (phenotype) depends upon active signaling processes.

18. For members of the human species, sex is normatively aligned in a binary fashion (i.e., either male or female) in relation to biologic purpose. Medical recognition of an individual as male or female is typically made at birth according to external phenotypic expression of primary sexual traits (i.e., presence of a penis for males and presence of labia and vagina for females).

19. Due to genetic and hormonal variation in the developing fetus, normative development of the external genitalia in any individual differs with respect to size and appearance while maintaining an ability to function with respect to biologic purpose (i.e. reproduction). Internal

structures (e.g. gonad, uterus, vas deferens) normatively align with external genitalia.

20. Reliance upon external phenotypic expression of primary sexual traits is a highly accurate means to assign biologic sex. In over 99.9% of cases, this designation will correlate with internal sexual traits and capacity for normal biologic sexual function.

Gender Dysphoria in relation to Biological Sex

21. Although gender usually aligns with biological sex, some individuals experience discordance in these distinct traits. Specifically, biologic females may identify as males and biologic males may identify as females. As gender by definition is distinct from biological sex, one's gender identity does not change a person's biological sex.

22. Individuals who experience significant distress due to discordance between gender identity and sex are considered to have "gender dysphoria". Although the prevalence of gender dysphoria has not been established by rigorous scientific analysis, estimates reported in the DSM-V are between 0.005% to 0.014% for adult males and 0.002% to 0.003% for adult females. Thus, gender dysphoria is a rare condition. It is currently unknown whether these estimates are falsely low due to under-reporting, or if changing societal acceptance of transgenderism and the growing number of medical centers providing medical intervention for gender dysphoria affects the number of persons who identify as transgender. Recent data suggests that the number of people seeking care for gender dysphoria is increasing with some estimates as high as 4-fold.

23. There is strong evidence against the theory that gender identity is determined at or before birth and is unchangeable. This comes from identical twin studies where siblings share genetic complements and prenatal environmental exposure but have differing gender identities.

24. Further evidence that gender identity is not fixed comes from established peer

reviewed literature demonstrating that the vast majority (80-95%) of children who express gender dysphoria revert to a gender identity concordant with their biological sex by late adolescence. It is not known whether individuals with gender dysphoria persistence have differing etiologies or severity of precipitating factors compared to desisting individuals.

[REDACTED]

26. The recently coined concept of “neurological sex” as a distinct entity or a basis for classifying individuals as male or female has no scientific justification. Limited emerging data has suggested structural and functional differences between brains from normal and transgender individuals. These data do not establish whether these differences are innate and fixed or acquired and malleable. The remarkable neuronal plasticity of the brain is known and has been studied extensively in gender-independent contexts related to health and disease, learning and behavior.

Gender Ideology

27. The modern attempt to equate gender identity with sex is not based upon sound scientific principles but rather is based upon ideology fueled by advocacy. Although worldviews among scientists and physicians, similar to society at large, differ, science is firmly grounded in physical

reality not perception. The inherent link between human sexual biology and teleology is self-evident and fixed.

28. The claims of proponents of transgenderism, which include opinions such as “Gender defines who one is at his/her core” and “Gender is the only true determinant of sex” must be viewed in their proper philosophical context. There is no scientific basis for redefining sex on the basis of a person’s psychological sense of ‘gender’.

29. The prevailing, constant and accurate designation of sex as a biological trait grounded in the inherent purpose of male and female anatomy and as manifested in the appearance of external genitalia at birth remains the proper scientific and medical standard. Redefinition of the classification and meaning of sex based upon pathologic variation is not established medical fact.

Potential Harm Related to Gender Dysphoria Treatments

30. The fundamental purpose of the practice of medicine is to treat disease and alleviate suffering. An essential tenet of medical practice is to avoid doing harm in the process. Due to the frequent lack of clear and definitive evidence on how to best accomplish this goal, treatment approaches can and do frequently differ among highly knowledgeable, competent, and caring physicians.

31. Persons with gender dysphoria as delineated in the DSM-V experience significant psychological distress related to their condition with elevated risk of depression, suicide, and other morbidities. Thus, attempts to provide effective medical care to affected persons are clearly warranted.

32. Efforts to effectively treat persons with gender dysphoria require respect for the inherent dignity of those affected, sensitivity to their suffering, and maintenance of objectivity in

assessing etiologies and long-term outcomes. Desistance (i.e. reversion to gender identity concordant with sex) provides the greatest lifelong benefit and is the outcome in the majority of patients and should be maintained as a desired goal. Any forced societal intervention that could interfere with the likelihood of gender dysphoria resolution is unwarranted and potentially harmful.

33. There is an urgent need for high quality controlled clinical research trials to determine ways to develop supportive dignity affirming social environments that maintain affirmation of biological reality. To date, three approaches have been proposed for managing children with gender dysphoria. The first approach, often referred to as “conversion” or “reparative therapy”, is directed toward actively supporting and encouraging children to identify with their biological sex. The second “neutral” approach, motivated by understanding of the natural history of transgender identification in children, is to neither encourage nor discourage transgender identification, recognizing that the majority of affected children if left alone will eventually realign their gender with their sex. The third “affirming” approach is to actively encourage children to embrace transgender identity with social transitioning followed by hormonal therapy.

34. The gender affirming approach, which includes use of a child’s preferred pronouns, use of sex-segregated bathrooms, other intimate facilities and sleeping accommodations corresponding to a child’s gender identity, has limited scientific support for short-term alleviation of dysphoria and no long-term outcomes data demonstrating superiority over the other approaches. Claims that the other approaches have been scientifically disproven are false. Decades of research, most notably the pioneering work of Dr. Kenneth Zucker, have supported the efficacy of a more conservative approach for the majority of patients experiencing gender dysphoria.

35. Feelings of anxiety, depression, isolation, frustration, and embarrassment are not unique to children with gender dysphoria, but rather are common to children who differ physically or psychologically from their peers. Difficulties are accentuated as children progress through the normal stages of neurocognitive and social development. In the clinical practice of pediatric endocrinology, this is most commonly seen in children with diabetes. Attempts to deny or conceal the presence of disease rather than openly acknowledge and address specific needs can have devastating consequences including death. With proper acknowledgment of the similarity and differences between children with gender dysphoria and other developmental challenges, prior experience can guide the development of effective approaches to both alleviate suffering and minimize harm to school aged children experiencing gender dysphoria.

36. The Endocrine Society published in 2009 clinical guidelines for the treatment of patients with persistent gender dysphoria. The recommendations include temporary suppression of pubertal development of children with GnRH agonists (hormone blockers normally used for children experiencing precocious puberty) followed by hormonal treatments to induce the development of secondary sexual traits consistent with one's gender identity. This guideline was developed using the GRADE (Recommendations, Assessment, Development, and Evaluation) system for rating clinical guidelines. As directly stated in the Endocrine Society publication, "the strength of recommendations and the quality of evidence was low or very low." According to the GRADE system, low recommendations indicate "Further research is very likely to have an important impact on our confidence in the estimate of effect and is likely to change the estimate". Very low recommendations mean that "any estimate of effect is very uncertain".

37. Clinical Practice Guidelines published by the World Professional Association for Transgender Health (WPATH), similarly, though less explicitly, acknowledge the limitation of

existing scientific data supporting their recommendations given and “the value of harm-reduction approaches”.

38. Treatment of gender dysphoric children who experience persistence of symptoms with hormones (pubertal suppression and cross-hormone therapy) carries significant risk. It is generally accepted, even by advocates of transgender hormone therapy, that hormonal treatment results in sterility which in many cases is irreversible. Emerging data also show that treated patients have lower bone density which may lead to increased fracture risk later in life. Other potential adverse effects include disfiguring acne, high blood pressure, weight gain, abnormal glucose tolerance, breast cancer, liver disease, thrombosis, and cardiovascular disease.

39. Since strategies for the treatment of transgendered children as summarized by the Endocrine Society guidelines are relatively new, long-term outcomes are unknown. Evidence presented as support for short term reductions in psychological distress following social transition in a “gender affirming” environment remains inconclusive. When considered apart from advocacy based agendas, multiple potential confounders are evident. The most notable deficiencies of existing research are the absence of proper control subjects and lack of randomization in study design. Although appropriate caution is warranted in extrapolating the outcomes observed from prior studies with current treatments, adults who have undergone social transition with or without surgical modification of external genitalia continue to have rates of depression, anxiety, substance abuse and suicide far above the background population.

40. Evidence cited to support societal measures that promote or encourage gender transition, including the plaintiff’s demand for use of preferred pronouns by teachers and the use of public restrooms, other intimate facilities and sleeping accommodations corresponding with the plaintiff’s gender identity, as a medically necessary treatment for gender dysphoria is limited.

Recent studies reporting reductions in dysphoria following social transition of adolescent patients are small, poorly controlled and of insufficient duration to draw definitive conclusions regarding long-term efficacy. Long-term follow up of patients with gender dysphoria who have undergone social and hormonal transition with or without surgical intervention has shown persistent psychological morbidity far above non-transgendered individuals with suicide attempts 7-fold and completed suicides 19-fold above the general population.

41. Of particular concern is the likelihood that forced societal affirmation including a requirement that the Kenosha Unified School District allow students to use sex-segregated bathrooms corresponding to gender identity rather than access to single unit facilities or provision of sleeping accommodations based upon gender identity rather than sex, will interfere with known rates of gender resolution. Any activity that encourages or perpetuates transgender persistence for those who would otherwise desist can cause significant harm, particularly in light of the current treatment paradigm for persisting individuals. As noted, permanent sterility can be expected with hormonal or surgical disruption of normal gonadal function. This is particularly concerning given that children are likely incapable of making informed consent to castrating treatments.

42. Dignity affirming support for adolescents with gender dysphoria does not necessitate facilitation of a false understanding of human sexuality in schools. Rather, policy requirements that can increase persistence of transgender identification have significant potential for inducing long-term harm to affected children.

43. There remains a significant and unmet need to better understand the biological, psychological, and environmental basis for the manifestation of discordance of gender identity and biological sex in affected individuals. In particular, there is a concerning lack of randomized

controlled trials comparing outcomes of youth with gender dysphoria who are provided mandated access to sex-segregated bathroom facilities corresponding with gender identity to youth provided single user facilities. This includes understanding of how forced public encouragement of social gender transition affects the usual progression to resolution of gender dysphoria in affected children. Such studies can be ethically designed and executed with provision of other dignity affirming measures to both treatment groups. Without this scientific evidence, it is impossible to assert that the approach using sex-segregated bathrooms is an essential component of treatment.

Pursuant to 28 U.S.C § 1746, I declare under penalty of perjury under the laws of the United States of America that the foregoing is true and correct.

Date: April 26, 2017


Signed: 
Paul W. Hruz, M.D., Ph.D.

EXHIBIT A

Curriculum Vitae

Paul W. Hruz, M.D., Ph.D.

Date: 04/26/2017 04:18 PM

Personal Information

Birthplace: WI
Citizenship: USA

Address and Telephone Numbers

University: Washington University in St. Louis
School of Medicine
Department of Pediatrics
Endocrinology and Diabetes
660 S. Euclid

Campus Box 8208

Phone: 314-286-2797
Fax: 314-286-2892
eMail: Hruz_P@kids.wustl.edu

Present Positions

Associate Professor of Pediatrics, Endocrinology and Diabetes
Associate Professor of Pediatrics, Cell Biology & Physiology
Researcher, Developmental Biology

Education and Training

1987 BS, Chemistry, Marquette University, Milwaukee, WI
1993 PhD, Biochemistry, Medical College of Wisconsin, Milwaukee, WI
1994 MD, Medicine, Medical College of Wisconsin, Milwaukee, WI

1994 - 1997 Pediatric Residency, University of Washington, Seattle, Washington
1997 - 2000 Pediatric Endocrinology Fellowship, Washington University, Saint Louis, MO

Academic Positions and Employment

1996 - 1997 Locum Tenens Physician, Group Health of Puget Sound Eastside Hospital, Group Health of Puget Sound Eastside Hospital, Seattle, WA
2000 - 2003 Instructor in Pediatrics, Endocrinology and Diabetes, Washington University in St. Louis, St. Louis, MO
2003 - 2011 Assistant Professor of Pediatrics, Endocrinology and Diabetes, Washington University in St. Louis, St. Louis, MO
2004 - 2011 Assistant Professor of Pediatrics, Cell Biology & Physiology, Washington University in St. Louis, St. Louis, MO
2011 - Pres Associate Professor of Pediatrics, Cell Biology & Physiology, Washington University in St. Louis, St. Louis, MO
2011 - Pres Associate Professor of Pediatrics, Endocrinology and Diabetes, Washington University in St. Louis, St. Louis, MO
2012 - 2017 Division Chief, Endocrinology and Diabetes, Washington University in St. Louis, St. Louis, MO

Appointments and Committees

NIH Study Sections

2005 NIH- NIDDK Special Emphasis Panel ZDK1 GRB-6
2009 NIH- ACE Competitive Revisions ZRG1 AARR-H (95) S
2009 NIH- AIDS and AIDS Related Research IRG
2011 NIH- Pediatric Endocrinologist K12 ZDK1 GRB-C
2014 NIH- Special Emphasis Panel ZRG1 BBBPY 58
2014 NIH- AIDS and AIDS Related Research IRG
2015 NIH- Cardiovascular and Respiratory Sciences Special Emphasis Panel ZDK1 GRB-J (02)
2015 NIH- NIDDK Special Emphasis Panel ZRG1 CVRS-Q (80)
2016 NIH Special Emphasis Panel ZRG1 AAR-M
2016 American Diabetes Association Research Grant Review Committee

University Affiliations

2008 - 2016 Director, Pediatric Endocrinology & Diabetes Fellowship Program
2010 - Pres Pediatric Computing Facility Advisory Committee
2012 - 2017 Director, Division of Pediatric Endocrinology & Diabetes
2012 - Pres Disorders of Sexual Development Multidisciplinary Care Program
2013 - Pres Molecular Cell Biology Graduate Student Admissions Committee
2014 - Pres Research Consultant, ICTS Research Forum - Child Health
2014 - Pres Director, Pediatric Diabetes Research Consortium

Hospital Affiliations

2000 - Pres Attending Physician, St. Louis Children's Hospital

Thesis Committees ("Chair") Advisor

2008 - 2011	Kelly Diggs-Andrews	Simon Fisher
2008 - 2010	Irwin Puentes	Simon Fisher
2008 - 2010	Tony Frovola	Kelle Moley
2009 - 2010	Lauren Flessner	Kelle Moley
2010 - 2012	Katie Boehle	Kelle Moley
2010 - 2013	Candace Reno	Simon Fisher
2011 - 2016	Thomas Kraft	Paul Hruz
2013 - 2015	Chi Lun Pui	Audrey Odom
2013 - 2016	Leah Imlay	Audrey Odom
2014 - Pres	Anne Robinson	Katie Henzler-Wildman
2015 - Pres	Allyson Mayer	Brian DeBosch

Scholarship Oversight Committees

2013 - 2016 Brittany Knipsein (Advisor: David Rudnick)
2016 - Pres Pamela Smith (Advisor: Michael Whyte)

Licensure and Certifications

1997 - Pres Board Certified in General Pediatrics
2000 - Pres MO State License #2000155004
2001 - Pres Board Certified in Pediatric Endocrinology & Metabolism

Honors and Awards

1987 National Institute of Chemists Research and Recognition Award
1987 Phi Beta Kappa
1987 Phi Lambda Upsilon (Honorary Chemical Society)
1988 American Heart Association Predoctoral Fellowship Award
1994 Alpha Omega Alpha
1994 Armond J. Quick Award for Excellence in Biochemistry
1994 NIDDK/Diabetes Branch Most Outstanding Resident
1998 Pfizer Postdoctoral Fellowship Award
2002 Scholar, Child Health Research Center of Excellence in Developmental Biology at Washington University
2013 Julio V Santiago, M.D. Scholar in Pediatrics

Editorial Responsibilities

Editorial Ad Hoc Reviews

AIDS
AIDS Research and Human Retroviruses
American Journal of Pathology
American Journal of Physiology
British Journal of Pharmacology
Circulation Research
Clinical Pharmacology & Therapeutics
Comparative Biochemistry and Physiology
Diabetes
Experimental Biology and Medicine
Future Virology
Journal of Antimicrobial Chemotherapy
Journal of Clinical Endocrinology & Metabolism
Journal of Molecular and Cellular Cardiology
Obesity Research
2000 - Pres Journal of Biological Chemistry
2013 - Pres PlosOne
2016 - Pres Scientific Reports

Editorial Boards

2014 - Pres Endocrinology and Metabolism Clinics of North America

Professional Societies and Organizations

1992 - 2004 American Medical Association
1994 - 2005 American Academy of Pediatrics
1995 - 2014 American Association for the Advancement of Science
1998 - Pres American Diabetes Association
1998 - Pres Endocrine Society
1999 - Pres Pediatric Endocrine Society
2004 - Pres American Society for Biochemistry and Molecular Biology
2004 - Pres Society for Pediatric Research
2004 - 2007 American Chemical Society
2005 - Pres Full Fellow of the American Academy of Pediatrics
2013 - Pres International Society for Pediatric and Adolescent Diabetes

Major Invited Professorships and Lectures

2002 St. Louis Children's Hospital, Pediatric Grand Rounds, St. Louis, MO
2004 National Disease Research Interchange, Human Islet Cell Research Conference, Philadelphia, PA
2004 NIDA-NIH Sponsored National Meeting on Hormones, Drug Abuse and Infections, Bethesda, MD
2005 The Collaborative Institute of Virology, Complications Committee Meeting, Boston, MA
2005 University of Indiana, Endocrine Grand Rounds, Indianapolis, IN
2006 Metabolic Syndrome Advisory Board Meeting, Bristol-Myers Squibb, Pennington, NJ
2007 American Heart Association and American Academy of HIV Medicine State of the Science Conference: Initiative to Decrease Cardiovascular Risk and Increase Quality of Care for Patients Living with HIV/AIDS, Chicago, IL
2007 Medical College of Wisconsin, MSTP Annual Visiting Alumnus Lecture, Milwaukee, WI
2007 St. Louis Children's Hospital, Pediatric Grand Rounds, St. Louis, MO
2007 University of Arizona, Minority Access to Research Careers Seminar, Tucson AZ
2008 Boston University, Division of Endocrinology, Diabetes and Nutrition, Boston, MA
2009 St. Louis Children's Hospital, Pediatric Grand Rounds, St. Louis, MO
2010 American Diabetes Association Scientific Sessions, Symposium Lecture Orlando, FL
2010 University of Missouri Kansas City, School of Biological Sciences, Kansas City, MO
2011 Life Cycle Management Advisory Board Meeting, Bristol-Myers Squibb, Chicago, IL
2013 St. Louis Children's Hospital, Pediatric Grand Rounds, St. Louis MO
2013 St. Louis Children's Hospital CPU Lecture, St. Louis MO
2014 Pediatric Academic Societies Meeting, Vancouver, Canada, May 5, 2014
2014 American Diabetes Association 74th Scientific Sessions, San Francisco, CA, June 13, 2014
2017 University of Michigan, Division of Pediatric Endocrinology, Ann Arbor MI

Consulting Relationships and Board Memberships

1996 - 2012 Consultant, Bristol Myers Squibb
1997 - 2012 Consultant, Gilead Sciences

Research Support

Non-Governmental Support

(Hruz)
Gilead Pharma
Novel HIV Protease Inhibitors and GLUT4

MHI-2017-593 (DeBosch) 2/1/2017- 1/31/2020
CDI
Prevention And Treatment Of Hepatic Steatosis Through Selective Targeting Of GLUT8

Completed Support

II (Hruz) 2/1/2012- 1/31/2015
CDI
Solution-State NMR Structure and Dynamics of Facilitative Glucose Transport Proteins

R01 (Hruz) 9/20/2009- 5/31/2014
NIH
Direct Effects of Antiretroviral Therapy on Cardiac Energy Homeostasis
The goal of this project is to characterize the influence of antiretroviral therapies on myocardial energy homeostasis and to elucidate how these changes in substrate delivery adversely affect cardiac function in the stressed heart.

Research Program (Hruz) 6/1/2009- 5/31/2012
MOD
Regulation of GLUT4 Intrinsic Activity
The major goals of this project are to investigate the ability of the GLUT4 tethering protein TUG and an UBL-domain containing N-terminal fragment of this protein to alter the intrinsic activity of the insulin responsive facilitative glucose transporter, to determine whether protein ubiquitination influences this association, and to characterize the role of the GLUT4 binding site on the modulation of glucose transport.

R01 (Hruz) 4/1/2007- 1/31/2012
NIH
Mechanisms for Altered Glucose Homeostasis During HAART
The goal of this project is to identify the cellular targets of HIV protease inhibitors that lead to peripheral insulin resistance, impaired beta-cell function, and alterations in hepatic glucose production and to elucidate the molecular mechanisms of these effects.

R01 Student Supp (Hruz) 6/10/2009- 8/31/2011
NIH
Mechanisms for Altered Glucose Homeostasis During HAART
The goal of this project is to identify the cellular targets of HIV protease inhibitors that lead to peripheral insulin resistance, impaired beta-cell function, and alterations in hepatic glucose production and to elucidate the molecular mechanisms of these effects.

(Hruz) 3/9/2010- 6/8/2011
Bristol-Myers Squibb
Protective Effect of Saxagliptin on a Progressive Deterioration of Cardiovascular Function

II (Hruz) 2/1/2008- 1/31/2011
CDI
Insulin Resistance and Myocardial Glucose Metabolism in Pediatric Heart Failure

Past Trainees

- 2014 - 2014 David Hannibal, Clinical Research Trainee
- 2005 - 2005 Dominic Doran, DSc, Postdoctoral Fellow
Study area: HIV Protease Inhibitor Effects on Exercise Tolerance
- 2002 - 2010 Joseph Koster, PhD, Postdoctoral Fellow
Study area: Researcher
- 2010 - 2014 Lauren Flessner, PhD, Postdoctoral Fellow
Present position: Instructor, Syracuse University
- 2008 - 2011 Arpita Vyas, MD, Clinical Fellow
Study area: Research
Present position: Assistant Professor, Michigan State University, Lansing MI
- 2008 - 2009 Candace Reno, Graduate Student
Study area: Research
Present position: Research Associate, University of Utah
- 2005 - 2005 Helena Johnson, Graduate Student
- 2007 - 2008 Kai-Chien Yang, Graduate Student
Study area: Research
Present position: Postdoctoral Research Associate, University of Chicago
- 2007 - 2007 Paul Buske, Graduate Student
Study area: Research
- 2006 - 2006 Ramon Jin, Graduate Student
Study area: Research
- 2009 - 2009 Stephanie Scherer, Graduate Student
Study area: Research
- 2006 - 2006 Taekyung Kim, Graduate Student
Study area: Research
- 2008 - 2008 Temitope Aiyejorun, Graduate Student
Study area: Research
- 2011 - 2016 Thomas Kraft, Graduate Student
Study area: Glucose transporter structure/function
Present position: Postdoctoral Fellow, Roche, Penzberg, Germany
- 2005 - 2005 Jeremy Etkorn, Medical Student
Study area: Researcher
- 2003 - 2004 Johann Hertel, Medical Student
Study area: Research
Present position: Assistant Professor, University of North Carolina, Chapel Hill, NC
- 2003 - 2003 John Paul Shen, Medical Student
Study area: Research
- 2007 - 2007 Randy Colvin, Medical Student
Study area: Researcher
- 2011 - 2011 Amanda Koenig- High School Student, Other
Study area: Research
- 2009 - 2009 Anne-Sophie Stolle- Undergraduate Student, Other
Study area: Research
- 2004 - 2005 Carl Cassel- High School Student, Other
Study area: Research
- 2004 - 2004 Christopher Hawkins- Undergraduate Student, Other
Study area: Researcher
- 2010 - 2010 Constance Haufe- Undergraduate Student, Other
Study area: Researcher
- 2010 - 2011 Corinna Wilde- Undergraduate Student, Other
Study area: Researcher
- 2008 - 2012 Dennis Woo- Undergraduate Student, Other
Study area: Researcher
Present position: MSTP Student, USC, Los Angeles CA
- 2007 - 2007 Jan Freiss- Undergraduate Student, Other
Study area: Researcher
- 2004 - 2004 Kaiming Wu- High School Student, Other
Study area: Research
- 2011 - 2012 Lisa Becker- Undergraduate Student, Other
- 2009 - 2009 Matthew Hruz- High School Student, Other
Study area: Research
Present position: Computer Programmer, Consumer Affairs, Tulsa OK
- 2011 - 2011 Melissa Al-Jaoude- High School Students, Other
- 2002 - 2002 Nishant Raj- Undergraduate Student, Other
Study area: Researcher
- 2010 - 2010 Samuel Lite- High School Student, Other
Study area: Research

Clinical Responsibilities

- Pres General Pediatrician, General Pediatric Ward Attending: 2-4 weeks per year, St. Louis Children's Hospital
- Pres Pediatric Endocrinologist, Endocrinology Night Telephone Consult Service: Average of 2-6 weeks/per yr, St. Louis Children's Hospital
- Pres Pediatric Endocrinologist, Inpatient Endocrinology Consult Service: 4-6 weeks per year, St. Louis Children's Hospital
- Pres Pediatric Endocrinologist, Outpatient Endocrinology Clinic: Approximately 50 patient visits per month, St. Louis Children's Hospital

Teaching Responsibilities

- Facilitator, Cell Biology Graduate Student Journal Club, 4 hour/year
- Facilitator, Discussion: Pituitary, Growth & Gonadal Cases, 2 hours/year
- 2000 - Pres Lecturer, Medical Student Growth Lecture (Women and Children's Health Rotation): Variable
- 2000 - Pres Lecturer, Metabolism Clinical Rounds/Research Seminar: Presentations twice yearly
- 2000 - Pres Lecturer, Pediatric Endocrinology Journal Club: Presentations yearly
- 2009 - Pres Lecturer, Markey Course-Diabetes Module
- 2009 - Pres Facilitator, Medical Student Endocrinology and Metabolism Course, Small group
- 2009 - Pres Facilitator, Biology 5011- Ethics and Research Science, 6 hours/year
- 2016 - Pres Facilitator, Medical Student Endocrinology and Metabolism Course, Small group
- 2016 - Pres Lecturer, Cell Signaling Course, Diabetes module, 3 hours/year

Publications

1. Hruz PW, Narasimhan C, Mizioro HM. 3-Hydroxy-3-methylglutaryl coenzyme A lyase: affinity labeling of the *Pseudomonas mevalonii* enzyme and assignment of cysteine-237 to the active site. *Biochemistry*. 1992;31(29):6842-7. PMID:[1637819](#)
2. Hruz PW, Mizioro HM. Avian 3-hydroxy-3-methylglutaryl-CoA lyase: sensitivity of enzyme activity to thiol/disulfide exchange and identification of proximal reactive cysteines. *Protein Sci*. 1992;1(9):1144-53. doi:[10.1002/pro.5560010908](#) PMCID:[PMC2142181](#) PMID:[1304393](#)
3. Mitchell GA, Robert MF, Hruz PW, Wang S, Fontaine G, Behnke CE, Mende-Mueller LM, Schappert K, Lee C, Gibson KM, Mizioro HM. 3-Hydroxy-3-methylglutaryl coenzyme A lyase (HL). Cloning of human and chicken liver HL cDNAs and characterization of a mutation causing human HL deficiency. *J Biol Chem*. 1993;268(6):4376-81. PMID:[3440722](#)
4. Hruz PW, Anderson VE, Mizioro HM. 3-Hydroxy-3-methylglutarylthio-CoA: utility of an alternative substrate in elucidation of a role for HMG-CoA lyase's cation activator. *Biochim Biophys Acta*. 1993;1162(1-2):149-54. PMID:[8095409](#)
5. Roberts JR, Narasimhan C, Hruz PW, Mitchell GA, Mizioro HM. 3-Hydroxy-3-methylglutaryl-CoA lyase: expression and isolation of the recombinant human enzyme and investigation of a mechanism for regulation of enzyme activity. *J Biol Chem*. 1994;269(27):17841-6. PMID:[8027038](#)
6. Hruz PW, Mueckler MM. Cysteine-scanning mutagenesis of transmembrane segment 7 of the GLUT1 glucose transporter. *J Biol Chem*. 1999;274(51):36176-80. PMID:[10593902](#)
7. Murata H, Hruz PW, Mueckler M. The mechanism of insulin resistance caused by HIV protease inhibitor therapy. *J Biol Chem*. 2000;275(27):20251-4. doi:[10.1074/jbc.C000228200](#) PMID:[10806189](#)
8. Hruz PW, Mueckler MM. Cysteine-scanning mutagenesis of transmembrane segment 11 of the GLUT1 facilitative glucose transporter. *Biochemistry*. 2000;39(31):9367-72. PMID:[10924131](#)
9. Hruz PW, Mueckler MM. Structural analysis of the GLUT1 facilitative glucose transporter (review). *Mol Membr Biol*. 2001;18(3):183-93. PMID:[11681785](#)
10. Hruz PW, Murata H, Mueckler M. Adverse metabolic consequences of HIV protease inhibitor therapy: the search for a central mechanism. *Am J Physiol Endocrinol Metab*. 2001;280(4):E549-53. PMID:[11254460](#)
11. Murata H, Hruz PW, Mueckler M. Investigating the cellular targets of HIV protease inhibitors: implications for metabolic disorders and improvements in drug therapy. *Curr Drug Targets Infect Disord*. 2002;2(1):1-8. PMID:[12462148](#)
12. Hruz PW, Murata H, Qiu H, Mueckler M. Indinavir induces acute and reversible peripheral insulin resistance in rats. *Diabetes*. 2002;51(4):937-42. PMID:[11916910](#)
13. Murata H, Hruz PW, Mueckler M. Indinavir inhibits the glucose transporter isoform Glut4 at physiologic concentrations. *AIDS*. 2002;16(6):859-63. PMID:[11919487](#)
14. Koster JC, Remedi MS, Qiu H, Nichols CG, Hruz PW. HIV protease inhibitors acutely impair glucose-stimulated insulin release. *Diabetes*. 2003;52(7):1695-700. PMCID:[PMC1403824](#) PMID:[12829635](#)
15. Liao Y, Shikapwashya ON, Shteyer E, Dieckgraefe BK, Hruz PW, Rudnick DA. Delayed hepatocellular mitotic progression and impaired liver regeneration in early growth response-1-deficient mice. *J Biol Chem*. 2004;279(41):43107-16. doi:[10.1074/jbc.M407969200](#) PMID:[15265859](#)
16. Shteyer E, Liao Y, Muglia LJ, Hruz PW, Rudnick DA. Disruption of hepatic adipogenesis is associated with impaired liver regeneration in mice. *Hepatology*. 2004;40(6):1322-32. doi:[10.1002/hep.20462](#) PMID:[15565660](#)
17. Hertel J, Struthers H, Horj CB, Hruz PW. A structural basis for the acute effects of HIV protease inhibitors on GLUT4 intrinsic activity. *J Biol Chem*. 2004;279(53):55147-52. doi:[10.1074/jbc.M410826200](#) PMCID:[PMC1403823](#) PMID:[15496402](#)
18. Yan Q, Hruz PW. Direct comparison of the acute in vivo effects of HIV protease inhibitors on peripheral glucose disposal. *J Acquir Immune Defic Syndr*. 2005;40(4):398-403. PMCID:[PMC1360159](#) PMID:[16280693](#)
19. Hruz PW. Molecular Mechanisms for Altered Glucose Homeostasis in HIV Infection. *Am J Infect Dis*. 2006;2(3):187-192. PMCID:[PMC1716153](#) PMID:[17186064](#)

20. Turmelle YP, Shikapwashya O, Tu S, Hruz PW, Yan Q, Rudnick DA. Rosiglitazone inhibits mouse liver regeneration. *FASEB J*. 2006;20(14):2609-11. doi:10.1096/fj.06-6511.fj. PMID:17077279
21. Hruz PW, Yan Q. Tipranavir without ritonavir does not acutely induce peripheral insulin resistance in a rodent model. *J Acquir Immune Defic Syndr*. 2006;43(5):624-5. doi:10.1097/01.qai.0000245883.66509.b4 PMID:17133213
22. Hruz PW, Yan Q, Struthers H, Jay PY. HIV protease inhibitors that block GLUT4 precipitate acute, decompensated heart failure in a mouse model of dilated cardiomyopathy. *FASEB J*. 2008;22(7):2161-7. doi:10.1096/fj.07-102269 PMID:18256305
23. Hruz PW. HIV protease inhibitors and insulin resistance: lessons from in-vitro, rodent and healthy human volunteer models. *Curr Opin HIV AIDS*. 2008;3(6):660-5. doi:10.1097/COH.0b013e3283139134 PMID:19373039
24. Flint OP, Noor MA, Hruz PW, Hylemon PB, Yarasheski K, Kotler DP, Parker RA, Bellamine A. The role of protease inhibitors in the pathogenesis of HIV-associated lipodystrophy: cellular mechanisms and clinical implications. *Toxicol Pathol*. 2009;37(1):65-77. doi:10.1177/0192623308327119 PMID:19171928
25. Tu P, Bhasin S, Hruz PW, Herbst KL, Castellani LW, Hua N, Hamilton JA, Guo W. Genetic disruption of myostatin reduces the development of proatherogenic dyslipidemia and atherogenic lesions in Ldlr null mice. *Diabetes*. 2009;58(8):1739-48. doi:10.2337/db09-0349 PMID:19509018
26. Guo W, Wong S, Pudney J, Jasuja R, Hua N, Jiang L, Miller A, Hruz PW, Hamilton JA, Bhasin S. Acipimox, an inhibitor of lipolysis, attenuates atherogenesis in LDLR-null mice treated with HIV protease inhibitor ritonavir. *Arterioscler Thromb Vasc Biol*. 2009;29(12):2028-32. doi:10.1161/ATVBAHA.109.191304 PMID:19762785
27. Vyas AK, Koster JC, Tzekov A, Hruz PW. Effects of the HIV protease inhibitor ritonavir on GLUT4 knock-out mice. *J Biol Chem*. 2010;285(47):36395-400. doi:10.1074/jbc.M110.176321 PMID:20864532
28. Gazit V, Weymann A, Hartman E, Finck BN, Hruz PW, Tzekov A, Rudnick DA. Liver regeneration is impaired in lipodystrophic fatty liver dystrophy mice. *Hepatology*. 2010;52(6):2109-17. doi:10.1002/hep.23920 PMID:20967828
29. Hresko RC, Hruz PW. HIV protease inhibitors act as competitive inhibitors of the cytoplasmic glucose binding site of GLUTs with differing affinities for GLUT1 and GLUT4. *PLoS One*. 2011;6(9):e25237. doi:10.1371/journal.pone.0025237 PMID:21966466
30. Vyas AK, Yang KC, Woo D, Tzekov A, Kovacs A, Jay PY, Hruz PW. Exenatide improves glucose homeostasis and prolongs survival in a murine model of dilated cardiomyopathy. *PLoS One*. 2011;6(2):e17178. doi:10.1371/journal.pone.0017178 PMID:21359201
31. Hruz PW, Yan Q, Tsai L, Koster J, Xu L, Cihlar T, Callebaut C. GS-8374, a novel HIV protease inhibitor, does not alter glucose homeostasis in cultured adipocytes or in a healthy-rodent model system. *Antimicrob Agents Chemother*. 2011;55(4):1377-82. doi:10.1128/AAC.01184-10 PMID:21245443
32. Hruz PW. Molecular mechanisms for insulin resistance in treated HIV-infection. *Best Pract Res Clin Endocrinol Metab*. 2011;25(3):459-68. doi:10.1016/j.beem.2010.10.017 PMID:21663839
33. Remedi MS, Agapova SE, Vyas AK, Hruz PW, Nichols CG. Acute sulfonylurea therapy at disease onset can cause permanent remission of KATP-induced diabetes. *Diabetes*. 2011;60(10):2515-22. doi:10.2337/db11-0538 PMID:21813803
34. Aerni-Flessner L, Abi-Jaoude M, Koenig A, Payne M, Hruz PW. GLUT4, GLUT1, and GLUT8 are the dominant GLUT transcripts expressed in the murine left ventricle. *Cardiovasc Diabetol*. 2012;11:63. doi:10.1186/1475-2840-11-63 PMID:22681646
35. Vyas AK, Aerni-Flessner LB, Payne MA, Kovacs A, Jay PY, Hruz PW. Saxagliptin Improves Glucose Tolerance but not Survival in a Murine Model of Dilated Cardiomyopathy. *Cardiovasc Endocrinol*. 2012;1(4):74-82. doi:10.1097/XCE.0b013e328355fb24 PMID:23795310
36. Hresko RC, Kraft TE, Tzekov A, Wildman SA, Hruz PW. Isoform-selective inhibition of facilitative glucose transporters: elucidation of the molecular mechanism of HIV protease inhibitor binding. *J Biol Chem*. 2014;289(23):16100-16113. doi:10.1074/jbc.M113.528430 PMID:24706759
37. Hruz PW. HIV and endocrine disorders. *Endocrinol Metab Clin North Am*. 2014;43(3):xvii-xviii. PMID:25169571
38. Mishra RK, Wei C, Hresko RC, Bajpai R, Heitmeier M, Matulis SM, Nooka AK, Rosen ST, Hruz PW, Schiltz GE, Shanmugam M. In Silico Modeling-based Identification of Glucose Transporter 4 (GLUT4)-selective Inhibitors for Cancer Therapy. *J Biol Chem*. 2015;290(23):14441-53. doi:10.1074/jbc.M114.628826 PMID:25847249
39. Kraft TE, Hresko RC, Hruz PW. Expression, purification, and functional characterization of the insulin-responsive facilitative glucose transporter GLUT4. *Protein Sci*. 2015. doi:10.1002/pro.2812 PMID:26402434
40. Kraft TE, Armstrong C, Heitmeier MR, Odom AR, Hruz PW. The Glucose Transporter PfHT1 Is an Antimalarial Target of the HIV Protease Inhibitor Lopinavir. *Antimicrob Agents Chemother*. 2015;59(10):6203-9. doi:10.1128/AAC.00899-15 PMID:26248369
41. Hruz PW. Commentary. *Clin Chem*. 2015;61(12):1444. PMID:26614228
42. DeBosch BJ, Heitmeier MR, Mayer AL, Higgins CB, Crowley JR, Kraft TE, Chi M, Newberry EP, Chen Z, Finck BN, Davidson NO, Yarasheski KE, Hruz PW, Moley KH. Trehalose inhibits solute carrier 2A (SLC2A) proteins to induce autophagy and prevent hepatic steatosis. *Sci Signal*. 2016;9(416):ra21. doi:10.1126/scisignal.aac5472 PMID:26905426
43. Hresko RC, Kraft TE, Quigley A, Carpenter EP, Hruz PW. Mammalian Glucose Transporter Activity is Dependent upon Anionic and Conical Phospholipids. *J Biol Chem*. 2016. doi:10.1074/jbc.M116.730168 PMID:27302065
44. Kraft TE, Heitmeier MR, Putanko M, Edwards RL, Iagan MX, Payne MA, Autry JM, Thomas DD, Odom AR, Hruz PW. A Novel Fluorescence Resonance Energy Transfer-Based Screen in High-Throughput Format To Identify Inhibitors of Malarial and Human Glucose Transporters. *Antimicrob Agents Chemother*. 2016;60(12):7407-7414. PMID:27736766
45. Mayer AL, Higgins CB, Heitmeier MR, Kraft TE, Qian X, Crowley JR, Hyrc KL, Beatty WL, Yarasheski KE, Hruz PW, DeBosch BJ. SLC2A8 (GLUT8) is a mammalian trehalose transporter required for trehalose-induced autophagy. *Sci Rep*. 2016;6:38586. PMID:27922102
46. Edwards, R, Brothers RC, Wang X, Maron MI, Tsang PS, Kraft TE, Hruz PW, Williamson KC, Dowd CS, Odom John AR. MEPicides: potent antimalarial prodrugs targeting isoprenoid biosynthesis *Sci Rep*. 2016;Submitted.

47. Shanmugam M, Heitmeier MR, Hruz PW and Schiltz G. Development of selective GLUT4 antagonists for treating multiple myeloma *J Med Chem*. 2016;Submitted.

Invited Publications

1. Hruz PW, Mueckler MM. Structural analysis of the GLUT1 facilitative glucose transporter (review). *Mol Membr Biol*. 2001;18(3):183-93. PMID: [11681785](#)
2. Hruz PW, Murata H, Mueckler M. Adverse metabolic consequences of HIV protease inhibitor therapy: the search for a central mechanism. *Am J Physiol Endocrinol Metab*. 2001;280(4):E549-53. PMID: [11254460](#)
3. Murata H, Hruz PW, Mueckler M. Investigating the cellular targets of HIV protease inhibitors: implications for metabolic disorders and improvements in drug therapy. *Curr Drug Targets Infect Disord*. 2002;2(1):1-8. PMID: [12462148](#)
4. Hruz PW. Molecular Mechanisms for Altered Glucose Homeostasis in HIV Infection. *Am J Infect Dis*. 2006;2(3):187-192. PMID: [17186064](#)
5. Grunfeld C, Kotler DP, Arnett DK, Falutz JM, Haffner SM, Hruz P, Masur H, Meigs JB, Mulligan K, Reiss P, Samaras K, Working Group 1. Contribution of metabolic and anthropometric abnormalities to cardiovascular disease risk factors. *Circulation*. 2008;118(2):e20-8. PMID: [18566314](#)
6. Hruz PW. HIV protease inhibitors and insulin resistance: lessons from in-vitro, rodent and healthy human volunteer models. *Curr Opin HIV AIDS*. 2008;3(6):660-5. PMID: [19373039](#)
7. Flint OP, Noor MA, Hruz PW, Hyemom PB, Yarashski K, Kotler DP, Parker RA, Bellamine A. The role of protease inhibitors in the pathogenesis of HIV-associated lipodystrophy: cellular mechanisms and clinical implications. *Toxicol Pathol*. 2009;37(1):65-77. PMID: [19171928](#)
8. Hruz PW. Molecular mechanisms for insulin resistance in treated HIV-infection. *Best Pract Res Clin Endocrinol Metab*. 2011;25(3):459-68. PMID: [21663839](#)
9. Hruz PW. HIV and endocrine disorders. *Endocrinol Metab Clin North Am*. 2014;43(3): xvii-xviii. PMID: [25169571](#)
10. Hruz PW. Commentary. *Clin Chem*. 2015;61(12):1444. PMID: [26614228](#)

Book Chapters (most recent editions)

1. Henderson KE, Baranski TJ, Bickel PE, Clutter PE, Clutter WE, McGill JB. Endocrine Disorders in HIV/AIDS. In: *The Washington Manual Endocrinology Subspecialty Consult* Philadelphia, PA: Lippincott Williams and Wilkins; 2008:321-328.

EXHIBIT B

Exhibit B

1. Aitken, M., T.D. Steensma, R. Blanchard, D.P. VanderLaan, H. Wood, A. Fuentes, C. Spegg, L. Wasserman, et al., *Evidence for an altered sex ratio in clinic-referred adolescents with gender dysphoria*. *J Sex Med*, 2015. **12**(3): p. 756-63.
2. Aitken, M., D.P. VanderLaan, L. Wasserman, S. Stojanovski, and K.J. Zucker, *Self-Harm and Suicidality in Children Referred for Gender Dysphoria*. *J Am Acad Child Adolesc Psychiatry*, 2016. **55**(6): p. 513-20.
3. Beek, T.F., B.P. Kreukels, P.T. Cohen-Kettenis, and T.D. Steensma, *Partial Treatment Requests and Underlying Motives of Applicants for Gender Affirming Interventions*. *J Sex Med*, 2015. **12**(11): p. 2201-5.
4. Blanchard, R., K.J. Zucker, P.T. Cohen-Kettenis, L.J. Gooren, and J.M. Bailey, *Birth order and sibling sex ratio in two samples of Dutch gender-dysphoric homosexual males*. *Arch Sex Behav*, 1996. **25**(5): p. 495-514.
5. Blom, R.M., R.C. Hennekam, and D. Denys, *Body integrity identity disorder*. *PLoS One*, 2012. **7**(4): p. e34702. PMID: PMC3326051.
6. Bradley, S.J., R. Blanchard, S. Coates, R. Green, S.B. Levine, H.F. Meyer-Bahlburg, I.B. Pauly, and K.J. Zucker, *Interim report of the DSM-IV Subcommittee on Gender Identity Disorders*. *Arch Sex Behav*, 1991. **20**(4): p. 333-43.
7. Bradley, S.J., B. Steiner, K. Zucker, R.W. Doering, J. Sullivan, J.K. Finegan, and M. Richardson, *Gender identity problems of children and adolescents: the establishment of a special clinic*. *Can Psychiatr Assoc J*, 1978. **23**(3): p. 175-83.
8. Bradley, S.J. and K.J. Zucker, *Gender identity disorder and psychosexual problems in children and adolescents*. *Can J Psychiatry*, 1990. **35**(6): p. 477-86.
9. Bradley, S.J. and K.J. Zucker, *Gender identity disorder: a review of the past 10 years*. *J Am Acad Child Adolesc Psychiatry*, 1997. **36**(7): p. 872-80.
10. Buu, A., A. Dabrowska, M. Myrants, L.I. Puttler, J.M. Jester, and R.A. Zucker, *Gender differences in the developmental risk of onset of alcohol, nicotine, and marijuana use and the effects of nicotine and marijuana use on alcohol outcomes*. *J Stud Alcohol Drugs*, 2014. **75**(5): p. 850-8. PMID: PMC4161704.
11. Cohen-Kettenis, P.T., A. Owen, V.G. Kaijser, S.J. Bradley, and K.J. Zucker, *Demographic characteristics, social competence, and behavior problems in children with gender identity disorder: a cross-national, cross-clinic comparative analysis*. *J Abnorm Child Psychol*, 2003. **31**(1): p. 41-53.
12. Cohen-Kettenis, P.T., S.E. Schagen, T.D. Steensma, A.L. de Vries, and H.A. Delemarre-van de Waal, *Puberty suppression in a gender-dysphoric adolescent: a 22-year follow-up*. *Arch Sex Behav*, 2011. **40**(4): p. 843-7. PMID: PMC3114100.
13. Cohen-Kettenis, P.T., T.D. Steensma, and A.L. de Vries, *Treatment of adolescents with gender dysphoria in the Netherlands*. *Child Adolesc Psychiatr Clin N Am*, 2011. **20**(4): p. 689-700.
14. Cohen-Kettenis, P.T., M. Wallien, L.L. Johnson, A.F. Owen-Anderson, S.J. Bradley, and K.J. Zucker, *A parent-report Gender Identity Questionnaire for Children: A cross-national, cross-clinic comparative analysis*. *Clin Child Psychol Psychiatry*, 2006. **11**(3): p. 397-405.
15. Curran, G.M., S.F. Stoltenberg, E.M. Hill, S.A. Mudd, F.C. Blow, and R.A. Zucker, *Gender differences in the relationships among SES, family history of alcohol disorders and alcohol dependence*. *J Stud Alcohol*, 1999. **60**(6): p. 825-32.
16. Daniolos, P.T., *Gender identity: on being versus wishing*. *J Am Acad Child Adolesc Psychiatry*, 2013. **52**(6): p. 569-71.

17. Davis, G., *Normalizing Intersex: The Transformative Power of Stories*. *Narrat Inq Bioeth*, 2015. **5**(2): p. 87-9.
18. de Vries, A.L., T.A. Doreleijers, T.D. Steensma, and P.T. Cohen-Kettenis, *Psychiatric comorbidity in gender dysphoric adolescents*. *J Child Psychol Psychiatry*, 2011. **52**(11): p. 1195-202.
19. de Vries, A.L., J.K. McGuire, T.D. Steensma, E.C. Wagenaar, T.A. Doreleijers, and P.T. Cohen-Kettenis, *Young adult psychological outcome after puberty suppression and gender reassignment*. *Pediatrics*, 2014. **134**(4): p. 696-704.
20. de Vries, A.L., T.D. Steensma, P.T. Cohen-Kettenis, D.P. VanderLaan, and K.J. Zucker, *Poor peer relations predict parent- and self-reported behavioral and emotional problems of adolescents with gender dysphoria: a cross-national, cross-clinic comparative analysis*. *Eur Child Adolesc Psychiatry*, 2016. **25**(6): p. 579-88. PMID: PMC4889630.
21. de Vries, A.L., T.D. Steensma, T.A. Doreleijers, and P.T. Cohen-Kettenis, *Puberty suppression in adolescents with gender identity disorder: a prospective follow-up study*. *J Sex Med*, 2011. **8**(8): p. 2276-83.
22. Dhejne, C., P. Lichtenstein, M. Boman, A.L. Johansson, N. Langstrom, and M. Landen, *Long-term follow-up of transsexual persons undergoing sex reassignment surgery: cohort study in Sweden*. *PLoS One*, 2011. **6**(2): p. e16885. PMID: PMC3043071.
23. Drummond, K.D., S.J. Bradley, M. Peterson-Badali, and K.J. Zucker, *A follow-up study of girls with gender identity disorder*. *Dev Psychol*, 2008. **44**(1): p. 34-45.
24. Durwood, L., K.A. McLaughlin, and K.R. Olson, *Mental Health and Self-Worth in Socially Transitioned Transgender Youth*. *J Am Acad Child Adolesc Psychiatry*, 2017. **56**(2): p. 116-123 e2. PMID: PMC5302003.
25. Endo, Y., G.B. Aharonoff, J.D. Zuckerman, K.A. Egol, and K.J. Koval, *Gender differences in patients with hip fracture: a greater risk of morbidity and mortality in men*. *J Orthop Trauma*, 2005. **19**(1): p. 29-35.
26. Fang, A., N.L. Matheny, and S. Wilhelm, *Body dysmorphic disorder*. *Psychiatr Clin North Am*, 2014. **37**(3): p. 287-300.
27. First, M.B. and C.E. Fisher, *Body integrity identity disorder: the persistent desire to acquire a physical disability*. *Psychopathology*, 2012. **45**(1): p. 3-14.
28. Fridell, S.R., K.J. Zucker, S.J. Bradley, and D.M. Maing, *Physical attractiveness of girls with gender identity disorder*. *Arch Sex Behav*, 1996. **25**(1): p. 17-31.
29. Gu, J. and R. Kanai, *What contributes to individual differences in brain structure?* *Front Hum Neurosci*, 2014. **8**: p. 262. PMID: PMC4009419.
30. Guss, C.E., D.N. Williams, S.L. Reisner, S.B. Austin, and S.L. Katz-Wise, *Disordered Weight Management Behaviors, Nonprescription Steroid Use, and Weight Perception in Transgender Youth*. *J Adolesc Health*, 2017. **60**(1): p. 17-22.
31. Hembree, W.C., P. Cohen-Kettenis, H.A. Delemarre-van de Waal, L.J. Gooren, W.J. Meyer, 3rd, N.P. Spack, V. Tangpricha, V.M. Montori, et al., *Endocrine treatment of transsexual persons: an Endocrine Society clinical practice guideline*. *J Clin Endocrinol Metab*, 2009. **94**(9): p. 3132-54.
32. Heylens, G., G. De Cuypere, K.J. Zucker, C. Schelfaut, E. Elaut, H. Vanden Bossche, E. De Baere, and G. T'Sjoen, *Gender identity disorder in twins: a review of the case report literature*. *J Sex Med*, 2012. **9**(3): p. 751-7.
33. Jurgensen, M., E. Kleinemeier, A. Lux, T.D. Steensma, P.T. Cohen-Kettenis, O. Hiort, U. Thyen, and D.S.D.N.W. Group, *Psychosexual development in children with disorder of sex development (DSD)--results from the German Clinical Evaluation Study*. *J Pediatr Endocrinol Metab*, 2010. **23**(6): p. 565-78.
34. King, C.D., *The Meaning of Normal*. *Yale J Biol Med*, 1945. **17**(3): p. 493-501. PMID: PMC2601549.

35. Korte, A., U. Lehmkuhl, D. Goecker, K.M. Beier, H. Krude, and A. Gruters-Kleslich, *Gender identity disorders in childhood and adolescence: currently debated concepts and treatment strategies*. Dtsch Arztebl Int, 2008. **105**(48): p. 834-41. PMID: PMC2697020.
36. Kranz, G.S., A. Hahn, U. Kaufmann, M. Kublbock, A. Hummer, S. Ganger, R. Seiger, D. Winkler, et al., *White matter microstructure in transsexuals and controls investigated by diffusion tensor imaging*. J Neurosci, 2014. **34**(46): p. 15466-75. PMID: PMC4699258.
37. Kreukels, B.P. and P.T. Cohen-Kettenis, *Puberty suppression in gender identity disorder: the Amsterdam experience*. Nat Rev Endocrinol, 2011. **7**(8): p. 466-72.
38. Kuhn, A., C. Bodmer, W. Stadlmayr, P. Kuhn, M.D. Mueller, and M. Birkhauser, *Quality of life 15 years after sex reassignment surgery for transsexualism*. Fertil Steril, 2009. **92**(5): p. 1685-1689 e3.
39. Lawrence, A.A., *Clinical and theoretical parallels between desire for limb amputation and gender identity disorder*. Arch Sex Behav, 2006. **35**(3): p. 263-78.
40. Lee, P.A., A. Nordenstrom, C.P. Houk, S.F. Ahmed, R. Auchus, A. Baratz, K. Baratz Dalke, L.M. Liao, et al., *Global Disorders of Sex Development Update since 2006: Perceptions, Approach and Care*. Horm Res Paediatr, 2016. **85**(3): p. 158-80.
41. Leibowitz, S.F. and N.P. Spack, *The development of a gender identity psychosocial clinic: treatment issues, logistical considerations, interdisciplinary cooperation, and future initiatives*. Child Adolesc Psychiatr Clin N Am, 2011. **20**(4): p. 701-24.
42. Levine, S.B., *Ethical Concerns About Emerging Treatment Paradigms for Gender Dysphoria*. Journal of Sex & Marital Therapy, 2017: p. 1-16.
43. Mayer, L.S. and P.R. McHugh, *Sexuality and Gender: Findings from the Biological, Psychological, and Social Sciences*. New Atlantis, 2016. **50**: p. 1-117.
44. McDermid, S.A., K.J. Zucker, S.J. Bradley, and D.M. Maing, *Effects of physical appearance on masculine trait ratings of boys and girls with gender identity disorder*. Arch Sex Behav, 1998. **27**(3): p. 253-67.
45. Moore, E., A. Wisniewski, and A. Dobs, *Endocrine treatment of transsexual people: a review of treatment regimens, outcomes, and adverse effects*. J Clin Endocrinol Metab, 2003. **88**(8): p. 3467-73.
46. Mustanski, B.S., R. Garofalo, and E.M. Emerson, *Mental health disorders, psychological distress, and suicidality in a diverse sample of lesbian, gay, bisexual, and transgender youths*. Am J Public Health, 2010. **100**(12): p. 2426-32. PMID: PMC2978194.
47. Olson, J., C. Forbes, and M. Belzer, *Management of the transgender adolescent*. Arch Pediatr Adolesc Med, 2011. **165**(2): p. 171-6.
48. Olson, K.R., L. Durwood, M. DeMeules, and K.A. McLaughlin, *Mental Health of Transgender Children Who Are Supported in Their Identities*. Pediatrics, 2016. **137**(3): p. e20153223. PMID: PMC4771131.
49. Olson-Kennedy, J., P.T. Cohen-Kettenis, B.P. Kreukels, H.F. Meyer-Bahlburg, R. Garofalo, W. Meyer, and S.M. Rosenthal, *Research priorities for gender nonconforming/transgender youth: gender identity development and biopsychosocial outcomes*. Curr Opin Endocrinol Diabetes Obes, 2016. **23**(2): p. 172-9. PMID: PMC4807860.
50. Pasterski, V., K.J. Zucker, P.C. Hindmarsh, I.A. Hughes, C. Acerini, D. Spencer, S. Neufeld, and M. Hines, *Increased Cross-Gender Identification Independent of Gender Role Behavior in Girls with Congenital Adrenal Hyperplasia: Results from a Standardized Assessment of 4- to 11-Year-Old Children*. Arch Sex Behav, 2015. **44**(5): p. 1363-75.
51. Perrin, E., N. Smith, C. Davis, N. Spack, and M.T. Stein, *Gender variant and gender dysphoria in two young children*. J Dev Behav Pediatr, 2010. **31**(2): p. 161-4.
52. Reisner, S.L., R. Vettes, M. Leclerc, S. Zaslow, S. Wolfrum, D. Shumer, and M.J. Mimiaga, *Mental health of transgender youth in care at an adolescent urban community*

- health center: a matched retrospective cohort study. *J Adolesc Health*, 2015. **56**(3): p. 274-9. PMID: PMC4339405.
53. Ristori, J. and T.D. Steensma, *Gender dysphoria in childhood*. *Int Rev Psychiatry*, 2016. **28**(1): p. 13-20.
 54. Schwarz, K., A.M. Fontanari, A. Mueller, B. Soll, D.C. da Silva, J. Salvador, K.J. Zucker, M.A. Schneider, et al., *Neural Correlates of Psychosis and Gender Dysphoria in an Adult Male*. *Arch Sex Behav*, 2016. **45**(3): p. 761-5.
 55. Shumer, D.E., N.J. Nokoff, and N.P. Spack, *Advances in the Care of Transgender Children and Adolescents*. *Adv Pediatr*, 2016. **63**(1): p. 79-102. PMID: PMC4955762.
 56. Shumer, D.E. and N.P. Spack, *Current management of gender identity disorder in childhood and adolescence: guidelines, barriers and areas of controversy*. *Curr Opin Endocrinol Diabetes Obes*, 2013. **20**(1): p. 69-73.
 57. Shumer, D.E. and N.P. Spack, *Paediatrics: Transgender medicine--long-term outcomes from 'the Dutch model'*. *Nat Rev Urol*, 2015. **12**(1): p. 12-3. PMID: PMC4349440.
 58. Singh, D., S. McMain, and K.J. Zucker, *Gender identity and sexual orientation in women with borderline personality disorder*. *J Sex Med*, 2011. **8**(2): p. 447-54.
 59. Spack, N., *Transgenderism*. *Med Ethics (Burlingt Mass)*, 2005. **12**(3): p. 1-2, 12.
 60. Spack, N.P., *Management of transgenderism*. *JAMA*, 2013. **309**(5): p. 478-84.
 61. Steensma, T.D., R. Biemond, F. de Boer, and P.T. Cohen-Kettenis, *Desisting and persisting gender dysphoria after childhood: a qualitative follow-up study*. *Clin Child Psychol Psychiatry*, 2011. **16**(4): p. 499-516.
 62. Steensma, T.D. and P.T. Cohen-Kettenis, *Gender transitioning before puberty?* *Arch Sex Behav*, 2011. **40**(4): p. 649-50.
 63. Steensma, T.D. and P.T. Cohen-Kettenis, *More than two developmental pathways in children with gender dysphoria?* *J Am Acad Child Adolesc Psychiatry*, 2015. **54**(2): p. 147-8.
 64. Steensma, T.D., B.P. Kreukels, A.L. de Vries, and P.T. Cohen-Kettenis, *Gender identity development in adolescence*. *Horm Behav*, 2013. **64**(2): p. 288-97.
 65. Steensma, T.D., J.K. McGuire, B.P. Kreukels, A.J. Beekman, and P.T. Cohen-Kettenis, *Factors associated with desistence and persistence of childhood gender dysphoria: a quantitative follow-up study*. *J Am Acad Child Adolesc Psychiatry*, 2013. **52**(6): p. 582-90.
 66. Tishelman, A.C., R. Kaufman, L. Edwards-Leeper, F.H. Mandel, D.E. Shumer, and N.P. Spack, *Serving Transgender Youth: Challenges, Dilemmas and Clinical Examples*. *Prof Psychol Res Pr*, 2015. **46**(1): p. 37-45. PMID: PMC4719579.
 67. Trumbull, D., M.A. Cretella, and M. Grossman, *Puberty is not a disorder*. *Pediatrics*, 2015. **135**(5): p. e1366.
 68. van de Grift, T.C., P.T. Cohen-Kettenis, T.D. Steensma, G. De Cuypere, H. Richter-Appelt, I.R. Haraldsen, R.E. Dikmans, S.C. Cerwenka, et al., *Body Satisfaction and Physical Appearance in Gender Dysphoria*. *Arch Sex Behav*, 2016. **45**(3): p. 575-85. PMID: PMC4778147.
 69. VanderLaan, D.P., R. Blanchard, H. Wood, L.C. Garzon, and K.J. Zucker, *Birth weight and two possible types of maternal effects on male sexual orientation: a clinical study of children and adolescents referred to a Gender Identity Service*. *Dev Psychobiol*, 2015. **57**(1): p. 25-34.
 70. Vanderlaan, D.P., R. Blanchard, H. Wood, and K.J. Zucker, *Birth order and sibling sex ratio of children and adolescents referred to a gender identity service*. *PLoS One*, 2014. **9**(3): p. e90257. PMID: PMC3961213.
 71. VanderLaan, D.P., J.H. Leef, H. Wood, S.K. Hughes, and K.J. Zucker, *Autism spectrum disorder risk factors and autistic traits in gender dysphoric children*. *J Autism Dev Disord*, 2015. **45**(6): p. 1742-50.

72. VanderLaan, D.P., L. Postema, H. Wood, D. Singh, S. Fantus, J. Hyun, J. Leef, S.J. Bradley, et al., *Do children with gender dysphoria have intense/obsessional interests?* J Sex Res, 2015. 52(2): p. 213-9.
73. Vrouenraets, L.J., A.M. Fredriks, S.E. Hannema, P.T. Cohen-Kettenis, and M.C. de Vries, *Early Medical Treatment of Children and Adolescents With Gender Dysphoria: An Empirical Ethical Study.* J Adolesc Health, 2015. 57(4): p. 367-73.
74. Wallien, M.S. and P.T. Cohen-Kettenis, *Psychosexual outcome of gender-dysphoric children.* J Am Acad Child Adolesc Psychiatry, 2008. 47(12): p. 1413-23.
75. Wood, H., S. Sasaki, S.J. Bradley, D. Singh, S. Fantus, A. Owen-Anderson, A. Di Giacomo, J. Bain, et al., *Patterns of referral to a gender identity service for children and adolescents (1976-2011): age, sex ratio, and sexual orientation.* J Sex Marital Ther, 2013. 39(1): p. 1-6.
76. Yang, S., J.A. Cranford, R. Li, R.A. Zucker, and A. Buu, *A time-varying effect model for studying gender differences in health behavior.* Stat Methods Med Res, 2015. PMID: PMC4860169.
77. Zucker, K.J., *Gender identity disorder in the DSM-IV.* J Sex Marital Ther, 1999. 25(1): p. 5-9.
78. Zucker, K.J., *Evaluation of sex- and gender-assignment decisions in patients with physical intersex conditions: a methodological and statistical note.* J Sex Marital Ther, 2002. 28(3): p. 269-74.
79. Zucker, K.J., *Intersexuality and gender identity differentiation.* J Pediatr Adolesc Gynecol, 2002. 15(1): p. 3-13.
80. Zucker, K.J., *Gender identity development and issues.* Child Adolesc Psychiatr Clin N Am, 2004. 13(3): p. 551-68, vii.
81. Zucker, K.J., *Gender identity disorder in children and adolescents.* Annu Rev Clin Psychol, 2005. 1: p. 467-92.
82. Zucker, K.J., *On the "natural history" of gender identity disorder in children.* J Am Acad Child Adolesc Psychiatry, 2008. 47(12): p. 1361-3.
83. Zucker, K.J., *The DSM diagnostic criteria for gender identity disorder in children.* Arch Sex Behav, 2010. 39(2): p. 477-98.
84. Zucker, K.J., *Reports from the DSM-V Work Group on sexual and gender identity disorders.* Arch Sex Behav, 2010. 39(2): p. 217-20.
85. Zucker, K.J., *DSM-5: call for commentaries on gender dysphoria, sexual dysfunctions, and paraphilic disorders.* Arch Sex Behav, 2013. 42(5): p. 669-74.
86. Zucker, K.J., N. Beaulieu, S.J. Bradley, G.M. Grimshaw, and A. Wilcox, *Handedness in boys with gender identity disorder.* J Child Psychol Psychiatry, 2001. 42(6): p. 767-76.
87. Zucker, K.J., S.J. Bradley, D.N. Ben-Dat, C. Ho, L. Johnson, and A. Owen, *Psychopathology in the parents of boys with gender identity disorder.* J Am Acad Child Adolesc Psychiatry, 2003. 42(1): p. 2-4.
88. Zucker, K.J., S.J. Bradley, R.W. Doering, and J.A. Lozinski, *Sex-typed behavior in cross-gender-identified children: stability and change at a one-year follow-up.* J Am Acad Child Psychiatry, 1985. 24(6): p. 710-9.
89. Zucker, K.J., S.J. Bradley, and H.E. Hughes, *Gender dysphoria in a child with true hermaphroditism.* Can J Psychiatry, 1987. 32(7): p. 602-9.
90. Zucker, K.J., S.J. Bradley, M. Kuksis, K. Pecore, A. Birkenfeld-Adams, R.W. Doering, J.N. Mitchell, and J. Wild, *Gender constancy judgments in children with gender identity disorder: evidence for a developmental lag.* Arch Sex Behav, 1999. 28(6): p. 475-502.
91. Zucker, K.J., S.J. Bradley, A. Owen-Anderson, S.J. Kibblewhite, and J.M. Cantor, *Is gender identity disorder in adolescents coming out of the closet?* J Sex Marital Ther, 2008. 34(4): p. 287-90.

92. Zucker, K.J., S.J. Bradley, A. Owen-Anderson, S.J. Kibblewhite, H. Wood, D. Singh, and K. Choi, *Demographics, behavior problems, and psychosexual characteristics of adolescents with gender identity disorder or transvestic fetishism*. *J Sex Marital Ther*, 2012. **38**(2): p. 151-89.
93. Zucker, K.J., S.J. Bradley, and M. Sanikhani, *Sex differences in referral rates of children with gender identity disorder: some hypotheses*. *J Abnorm Child Psychol*, 1997. **25**(3): p. 217-27.
94. Zucker, K.J., S.J. Bradley, C.B. Sullivan, M. Kuksis, A. Birkenfeld-Adams, and J.N. Mitchell, *A gender identity interview for children*. *J Pers Assess*, 1993. **61**(3): p. 443-56.
95. Zucker, K.J., J.K. Finegan, R.W. Doering, and S.J. Bradley, *Two subgroups of gender-problem children*. *Arch Sex Behav*, 1984. **13**(1): p. 27-39.
96. Zucker, K.J., R. Green, S. Coates, B. Zuger, P.T. Cohen-Kettenis, G.M. Zecca, V. Lertora, J. Money, et al., *Sibling sex ratio of boys with gender identity disorder*. *J Child Psychol Psychiatry*, 1997. **38**(5): p. 543-51.
97. Zucker, K.J., R. Green, C. Garofano, S.J. Bradley, K. Williams, H.M. Rebach, and C.B. Sullivan, *Prenatal gender preference of mothers of feminine and masculine boys: relation to sibling sex composition and birth order*. *J Abnorm Child Psychol*, 1994. **22**(1): p. 1-13.
98. Zucker, K.J., A.A. Lawrence, and B.P. Kreukels, *Gender Dysphoria in Adults*. *Annu Rev Clin Psychol*, 2016. **12**: p. 217-47.
99. Zucker, K.J. and H. Wood, *Assessment of gender variance in children*. *Child Adolesc Psychiatr Clin N Am*, 2011. **20**(4): p. 665-80.
100. Zucker, K.J., H. Wood, L. Wasserman, D.P. VanderLaan, and M. Aitken, *Increasing Referrals for Gender Dysphoria*. *J Adolesc Health*, 2016. **58**(6): p. 693-4.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25


IN THE UNITED STATES DISTRICT COURT FOR
THE MIDDLE DISTRICT OF NORTH CAROLINA

MAXWELL KADEL, et al.)
)
Plaintiffs)
) Cause No.
vs.) 1:19-cv-00272-
) LCB-LPA
DALE FOLWELL, et al.)
)
Defendants)

VIDEO ZOOM DEPOSITION OF DR. PAUL W. HRUZ
Taken on behalf of the Plaintiffs
September 29, 2021

Sheryl A. Pautler, RPR,
MO-CCR 871, IL-CSR 084-004585

(The proceedings began at 9:31 a.m. Eastern.)



1	QUESTIONS BY:	PAGE
2	Mr. Gonzalez-Pagan	8
3	Mr. Knepper	269
4	Mr. Gonzalez-Pagan	295
5		
6	INDEX OF EXHIBITS	
7	NO.	PAGE MKD.
8	Exhibit 1 (Expert report.)	11
9	Exhibit 2 (November 26, 2017, transcript.)	13
10		
11	Exhibit 3 (July 16, 2018, transcript.)	15
12		
13	Exhibit 4 (Publication of the National Catholic Bioethics Center.)	51
14	Exhibit 5 (Endocrine Society guidelines.)	86
15	Exhibit 6 (Press release.)	95
16	Exhibit 7 (Thomas Insel statement.)	115
17	Exhibit 8 (Article on adolescent health medicine and therapeutics.)	122
18	Exhibit 9 (Adolescent Health, Medicine Therapeutics article.)	123
19		
20	Exhibit 10 (APA Official Actions.)	167
21		
22	Exhibit 11 (Resolution by the American Psychological Association.)	168
23	Exhibit 12 (Understanding the Well Being of LGBTQI Plus Population.)	170
24	Exhibit 13 (Medical Treatment Methods for Dysphoria Related to Gender Variance in Minors.)	196
25		

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX OF EXHIBITS CONTINUED

NO.		PAGE MKD.
Exhibit 14	(November 18, 1994, Food and Drug Administration notice.)	212
Exhibit 15	(Understanding and Approved Use of Approved Drugs Off-Label.)	214
Exhibit 16	(Off-Label, Investigational Use of Marketed Drugs, Biologics and Medical Devices.)	215
Exhibit 17	(Off-Label Use of Drugs in Children.)	217
Exhibit 18	(2019 Journal of the Endocrine Society article.)	230
Exhibit 19	(Declaration of Norm Spack in the Adams case.)	248
Exhibit 20	(The use of Cross-Sex Steroids in the Treatment of Gender Dysphoria article.)	255
Exhibit 21	(Doe v. Boyertown Area School District amicus brief.)	266
Exhibit 22	(Hisle-Gorman article.)	270
Exhibit 23	(2019 Goddings article)	288

(Exhibits attached to transcript.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE UNITED STATES DISTRICT COURT FOR
THE MIDDLE DISTRICT OF NORTH CAROLINA

MAXWELL KADEL, et al.)
)
Plaintiffs)
) Cause No.
vs.) 1:19-cv-00272-
) LCB-LPA
DALE FOLWELL, et al.)
)
Defendants)

VIDEO ZOOM DEPOSITION OF WITNESS, DR. PAUL W. HRUZ, produced, sworn, and examined on the 29th day of September, 2021, between the hours of nine o'clock in the forenoon and eight o'clock in the afternoon of that day, via Veritext Zoom, before SHERYL A. PAUTLER, RPR, Certified Shorthand Reporter within and for the State of Illinois and Certified Court Reporter within and for the State of Missouri, in a certain cause now pending before the United States District Court for the Middle District of North Carolina, wherein MAXWELL KADEL, et al. are the Plaintiffs, and DALE FOLWELL, et al. are the Defendants.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A P P E A R A N C E S
For the Plaintiffs via Zoom:
Mr. Omar Gonzalez-Pagan
Ms. Tara Borelli
Lambda Legal Defense and
Education Fund, Inc.
120 Wall Street, 19th Floor
New York, New York 10005
212-809-0055
Ogonzalez-pagan@lambdalegal.org

For the Defendants Dale Folwell, Dee Jones
and North Carolina State Health Plan for
Teachers and State Employees via Zoom:
Mr. John G. Knepper
Law Office of John G. Knepper
1720 Carey Avenue, Suite 590
Cheyenne, Wyoming 82002
307-632-2842
John@knepperllc.com

For the Defendant State of North Carolina
Department of Public Safety via Zoom:

Mr. Alan D. McInnes
N.C. Department of Justice
114 West Edenton Street
Raleigh, North Carolina 27603
919-716-6529
Amcinnes@ncdoj.com

The Court Reporter:

Ms. Sheryl Pautler
Veritext Legal Solutions
701 Market Street, Suite 310
St. Louis, Missouri 63101
314-241-6750

1 Q. Okay. What is a wet lab?

2 A. A wet lab is really designating somebody
3 that does hands-on research usually with either
4 in-vitro or in-vivo studies, as opposed to a dry lab
5 which mostly does literature searches or computer
6 programming or things that do not involve
7 experimentation with -- the reason the term comes,
8 from wet reagents like buffers and solutions and
9 bodily fluids.

10 Q. Is your research primarily conducted in a
11 wet lab?

12 A. My -- until recently the vast majority of
13 my research has been conducted in a wet lab. I have
14 participated on a few occasions in clinical trials
15 and have served as an adviser and consultant for
16 colleagues in those types of studies.

17 Q. On how many occasions have you
18 participated in clinical trials?

19 A. I never direct -- well, there was one
20 trial at Washington University where I was more
21 directly involved. But all of -- as far as
22 principal investigator, all of my NIH funded
23 research and service as a principal investigator has
24 been done with my basic science research.

25 Q. Would you agree that clinical trials is

1 not your area of expertise?

2 MR. KNEPPER: Objection, form.

3 A. I would not agree with that statement. I
4 would say that I -- in the course of the last decade
5 that -- as I've been required to investigate the
6 literature surrounding this particular issue of
7 treatment of gender dysphoria, I have developed
8 considerable expertise in clinical trials. And I
9 also have previously served on institutional review
10 boards. I did that while I was a medical student,
11 where I reviewed the ethics of clinical trials
12 and -- and in other ways as well. So I would say
13 that covers my -- is included in my expertise as a
14 physician scientist.

15 Q. (By Mr. Gonzalez-Pagan) Earlier you stated
16 that the testimony you provided in the Bruce
17 deposition was truthful; is that right?

18 A. To the best of my knowledge.

19 Q. In the Bruce deposition, you were asked:
20 So clinical trials is in your area of expertise?

21 And you answered: That is correct.

22 MR. KNEPPER: Objection, form.

23 A. Can you please read that statement again?
24 And it might even be helpful if we went to the area
25 of that deposition so I can see the entire context.

1 But for now maybe you can just reread that just so I
2 understand what that statement said.

3 Q. (By Mr. Gonzalez-Pagan) Well, let's -- my
4 computer is not going to survive today. I
5 apologize. It's on Page 39 of Exhibit 3.

6 A. Is there an easy way to navigate directly
7 to a page without just scrolling down?

8 Q. Unfortunately I don't believe so. It's
9 limitation of the medium. I apologize for that.

10 MR. KNEPPER: I will confirm that. Yeah.
11 I haven't found one either.

12 A. Okay. So which line are you -- I'm on
13 Page 39 right now.

14 Q. (By Mr. Gonzalez-Pagan) All right. So on
15 line -- beginning on Line 23.

16 A. Okay.

17 Q. It says, Question: I see. So clinical
18 trials isn't your area of expertise?

19 Answer: That is correct.

20 Did I read that correctly?

21 A. Well, if you read the preceding lines, it
22 immediately followed a question about my direct
23 participation in clinical trials where I clearly
24 stated that there was only one clinical trial. That
25 was the one I just mentioned to you at Washington

1 University. And similar to what I had in this
2 deposition, my role in that project was relatively
3 minor.

4 So in that sense, that does not mean
5 that I do not have knowledge and experience in the
6 context of clinical trials. It only means I have
7 not directly participated in those clinical trials.
8 Context is important.

9 Q. What is primary research?

10 A. I'm sorry. Primary research?

11 Q. Yeah.

12 A. Oh, so you're -- you're talking about the
13 difference between conducting experimental --
14 directly conducting experiments versus systematic
15 reviews and literature reviews of that nature. Is
16 that the distinction you're trying to get at?

17 Q. Is that what you understand the
18 distinction between primary and secondary research
19 to be?

20 MR. KNEPPER: Objection, form.

21 A. That would be one definition that I would
22 agree with, yes.

23 Q. (By Mr. Gonzalez-Pagan) Okay. Would it be
24 okay if I were to adopt that definition, that
25 primary research refers to conducting experiments --

1 experiments, etc. and not literature review or
2 metanalysis of existing data?

3 A. For the purposes of this deposition, yes,
4 that is fine.

5 Q. With that understanding, have you
6 conducted any primary research relating to gender
7 dysphoria?

8 MR. KNEPPER: Objection, form.

9 A. So if you're asking whether I have
10 directly participated in clinical trials on gender
11 dysphoria, the answer is no.

12 Q. (By Mr. Gonzalez-Pagan) Have you
13 participated in cross-sectional studies related to
14 gender dysphoria?

15 A. Again, I have not -- cross-sectional
16 studies, you're meaning retrospective reviews?

17 Q. It could be longitudinal observational.
18 It could be cohort studies. I guess my question
19 is -- let me back up. Have you conducted any direct
20 research relating to gender dysphoria that is not
21 based on a literature review?

22 MR. KNEPPER: Objection, form.

23 A. It would depend on what your definition of
24 conduct. I have not physically myself done those
25 chart reviews or participated in the clinical

1 setting. My experience to what you had described as
2 primary research is limited to my role as associate
3 or assistant fellowship program director in
4 supervising my fellows, two of whom are doing what
5 we would -- what you would define as primary
6 research.

7 I'm not the primary investigator, but
8 I do have a role in directing my fellows in doing
9 that research to make sure it's of the highest
10 quality and standards that we expect of all of our
11 fellows.

12 Q. (By Mr. Gonzalez-Pagan) When did you
13 resume supervision of the fellowship program?

14 A. The official designation has happened
15 since the time I filed my initial curriculum vitae.
16 However, I have continually throughout my career
17 been involved in the fellowship program.

18 One of the reasons I was reappointed
19 as the assistant program director was that it was
20 recognized that the area of scholarly research
21 needed somebody with my background to be able to
22 help the fellows to be able to select projects,
23 select mentors and conduct research in the most
24 rigorous manner. And that was a shortcoming that
25 had developed since I had formally stepped away from

1 A. Okay.

2 Q. Well, actually, let me -- let me check.
3 We've been going about an hour. Would you like to
4 take a break right now or I can do this line of
5 questioning? And we can --

6 A. I'm actually doing quite well. I'd be
7 fine to keep pressing on.

8 MR. GONZALEZ-PAGAN: Sheryl, is that okay?

9 THE COURT REPORTER: That's fine.

10 Q. (By Mr. Gonzalez-Pagan) Okay. So if we go
11 to the list of publications in your CV. Are you
12 with me?

13 A. I am.

14 Q. In the category of journal articles,
15 No. 48 is titled Deficiencies in Scientific Evidence
16 for Medical Management of Gender Dysphoria. Did I
17 read that correctly?

18 A. Yes. And I do see it here.

19 Q. Is that one of your publications relating
20 to gender dysphoria?

21 A. Yes, it is. And it's probably one of the
22 most highly cited of the papers that I provided.

23 Q. Sure. Is that a publication based on any
24 primary research that you conducted?

25 MR. KNEPPER: Objection, form.

1 A. As which have defined it, no. It's a
2 review of the literature and critical appraisal of
3 the evidence.

4 Q. (By Mr. Gonzalez-Pagan) And that
5 publication is -- that -- sorry. That -- that
6 article was published in the Linacre Quarterly; is
7 that right?

8 A. That is correct.

9 Q. Is the Linacre Quarterly a scientific
10 publication?

11 A. It is an ethics journal. In fact, it's
12 the longest standing continuously published ethics
13 journal in the United States.

14 Q. Who publishes the Linacre Quarterly?

15 A. The NCBC.

16 Q. What does the NCBC stand for?

17 A. The National Catholic Bioethics Center.

18 Q. Turn to 50. Is this one of the other
19 publications you have relating to gender dysphoria?

20 A. It's a letter to the editor.

21 Q. So it's not -- this is not a publication
22 based on any primary research or scientific study
23 you have conducted?

24 MR. KNEPPER: Objection, form.

25 A. As we have defined primary research, it is

1 merely a presentation of -- of concerns about the
2 literature that has already been published.

3 Q. (By Mr. Gonzalez-Pagan) And as I
4 understand this letter to the editor is a commentary
5 on another publication, on another article; is that
6 right?

7 MR. KNEPPER: Objection, form.

8 A. It includes more information than just the
9 article itself. But, yes.

10 Q. (By Mr. Gonzalez-Pagan) And just pure
11 curiosity, I don't know the answer to this, but are
12 letters to the editor peer reviewed?

13 A. This particular one was. I recall when we
14 were submitting this, that we were asked to make
15 changes. And I interpret that as being peer
16 reviewed.

17 Q. Well, I just want to clarify. There's
18 peer review and then there's editorial review; is
19 that right?

20 MR. KNEPPER: Objection, form.

21 A. There are numbers of different types of
22 review; that's correct.

23 Q. (By Mr. Gonzalez-Pagan) Okay. As I
24 understand peer review to mean, it is a process of
25 objecting and circulating an author's work to the

1 scrutiny of others who are experts in the same
2 field; is that right?

3 MR. KNEPPER: Objection.

4 A. That's how it's generally defined yes.

5 Q. Are you saying that the letter to the
6 editor was circulated to experts in the field before
7 it was published?

8 A. I don't know the details of how the letter
9 was handled. I only can say that when we submitted
10 it, we were asked to make revisions. It was
11 reviewed by individuals with understanding of the
12 area that was covered. I don't know any more
13 details. And that's the way generally peer review
14 occurs. One is not usually told who actually
15 reviews the submission.

16 Q. The next publication, it's -- it's No. 2
17 under book chapter. It's titled Medical Approaches
18 to Alleviating Gender Dysphoria. And it's a chapter
19 in the book Transgender Issues in Catholic
20 Healthcare; is that right?

21 A. That is correct.

22 Q. Who publishes the book, Transgender Issues
23 in Catholic Healthcare?

24 A. That was also the NCBC.

25 Q. Is the book a peer-reviewed publication?

1 A. No.

2 Q. Going to the next page, there's a list of
3 invited publications; is that right?

4 A. Yes.

5 Q. No. 6 is your article titled Growing
6 Pains, Problems With Pubertal Supression in Treating
7 Gender Dysphoria.

8 Did I read that correctly?

9 A. Yes, you did read it correctly.

10 Q. Is this a peer-reviewed publication?

11 A. It is not peer reviewed. It was
12 editorially reviewed.

13 Q. The growing pains article was published in
14 the New Atlantis; is that right?

15 A. That is correct.

16 Q. Is the New Atlantis a scientific journal?

17 A. It is not considered a scientific journal
18 in the definition that we normally designate it. It
19 was -- it's a journal that provides more broad
20 readership to be able to distill topics of relevance
21 at an understandable level to the lay public.

22 Q. At the time of the publication of the
23 article, who published the New Atlantis?

24 A. Well, the New Atlantis.

25 Q. Was the new Atlantis a publication of the

1 ethics and public policy center?

2 MR. KNEPPER: Objection, form.

3 A. I believe that may be true. I didn't pay
4 much attention to that.

5 Q. (By Mr. Gonzalez-Pagan) Let's turn to
6 Exhibit No. 3, Page 44 -- sorry -- Page 46.

7 A. I went too far.

8 Q. You know what, it could probably be me.
9 It's a few later. It's Page 49. I do apologize.
10 Page 49.

11 A. I'm still scrolling, so. Okay. I'm
12 there.

13 Q. Okay. Beginning on Line 13, it reads;
14 Question: Okay. And the New Atlantis was founded
15 by the Ethics and Public Policy Center; is that
16 right?

17 Answer: I believe that that is
18 correct.

19 Question: Okay. And that's a center
20 dedicated to applying the Judeo-Christian moral
21 tradition to critical issues of public policy; is
22 that your understanding?

23 Answer: I believe that question came
24 up at the last deposition. And I believe that
25 that's an accurate statement.

1 Did I read that correctly?

2 A. You did read it correctly, yes.

3 Q. And you stand by that testimony?

4 A. Yes. I have no reason -- it's not
5 something that I consider all that important. And I
6 don't usually retain that. I've got so many other
7 pieces of information for me to retain. But, yes.

8 Q. Going back to your CV, under invited
9 publications.

10 A. I'm there.

11 Q. Okay. The next publication is an article
12 titled The Use of Cross-Sex Steroids in Treating
13 Gender Dysphoria; is that right?

14 A. That is correct.

15 Q. It was published in the National Catholic
16 Bioethics Quarterly; is that right?

17 A. That is correct.

18 Q. Is this article, The Use of Cross-Sex
19 Steroids, a peer-reviewed publication?

20 A. No, it is not.

21 Q. Is the National Catholic Bioethics
22 Quarterly a peer-reviewed journal?

23 A. No.

24 Q. Is the National Catholic Bioethics
25 Quarterly a scientific journal?

1 A. No. It is an ethics journal.

2 Q. All right. And the next publication, 8,
3 under publications in your CV is Experimental
4 Approaches to Alleviating Gender Dysphoria in
5 Children; is that right?

6 A. Yes.

7 Q. And this is another one of your
8 publications that relates to gender dysphoria?

9 A. Yes.

10 Q. Is this a peer-reviewed article?

11 A. It is published in the same journal as
12 No. 7. And it is not a peer-reviewed journal.

13 Q. Okay. Do you have any other publications
14 besides the ones that we just went through that
15 relate to gender dysphoria?

16 MR. KNEPPER: Objection, form.

17 A. So there are -- I have no publications
18 that have been added since the time I submitted this
19 CV and it reflects my publications to date.

20 Q. (By Mr. Gonzalez-Pagan) Do you have any
21 other publications besides the ones that we've
22 discussed today relating to transgender people?

23 A. Not that I recall.

24 MR. GONZALEZ-PAGAN: All right. I
25 actually do need to break. So if we can go off

1 scientific understanding of this condition. To my
2 understanding, the transition from this definition
3 as gender identity disorder to gender dysphoria was
4 not based upon new scientific information.

5 It was more of a desire to alleviate
6 the discomfort that one has in that label. So how
7 we classify that really rests on the premises that
8 one has about the underlying etiology. And I think
9 that there are -- are more than one valid hypothesis
10 or I should say premises that can be put forward,
11 not necessarily all of equal weight.

12 Q. (By Mr. Gonzalez-Pagan) Okay. But what is
13 your understanding of the condition of gender
14 incongruent?

15 MR. KNEPPER: Objection, form, scope.

16 A. It's a very broad question. Could you
17 narrow it down a little bit?

18 MR. GONZALEZ-PAGAN: John, what's the
19 objection of the scope? I thought Dr. Hruz is
20 here to testify about gender-affirming
21 treatment for the condition of gender dysphoria
22 and gender incongruent.

23 MR. KNEPPER: Hold on, Omar. You're free
24 to ask the questions. I think the question I'm
25 trying to understand is: Are you trying to ask

1 him to testify about -- as a psychiatrist or a
2 psychologist? And it's not clear to me, you
3 know, what the definition of gender
4 incongruence -- are you -- it's not clear to me
5 when you use that term, are you trying to say
6 it's the ICD-11 definition or are you using
7 something else?

8 I'm happy -- happy to let you continue to
9 pursue this. I'm just as interested as you
10 are. But I want to make sure that as you go
11 through this, we don't end up -- we don't end
12 up down a path where you're trying to say, now,
13 ah-ha, he's coming here pretending to be a
14 psychologist which is outside the scope of what
15 he said he's going to testify to.

16 MR. GONZALEZ-PAGAN: Well, I mean, we have
17 a 90-page report that I'm happy to go through.

18 MR. KNEPPER: Please do.

19 Q. (By Mr. Gonzalez-Pagan) Dr. Hruz, in your
20 report, you state a number of opinions about the
21 validity of the diagnosis of gender dysphoria
22 contained within the DSM; is that right?

23 MR. KNEPPER: Objection, form.

24 A. I would be much more comfortable looking
25 at the specific areas that you're referring to.

1 Because I present many things in my report as
2 hypotheses. And without making definitive
3 statements. So it would be most helpful if we can
4 look at specific areas that you're referring to.

5 Q. (By Mr. Gonzalez-Pagan) Okay. So I guess
6 what I'm curious about is, do you have a particular
7 as a physician scientist, do you have a particular
8 belief as to whether gender dysphoria is a disorder?

9 A. I have multiple scientific premises that I
10 have and continue to consider. Again not of equal
11 weight or validity. One of those premises is that
12 this condition arises from a disconnect between
13 neuronal biology and the bodily form -- sex --
14 bodily form of the body.

15 Another scientific premise is that
16 this condition is due to the number of
17 environmental, social, hormonal and neuronal
18 components. So how we understand this condition is
19 markedly influenced by the premise that we come to
20 address the hypotheses that we're going to need to
21 consider to develop clinical trials to establish
22 safety and efficacy of treatment that provides the
23 greatest benefit to the affected patients.

24 Q. Would you agree there are transgender
25 people in this world?

1 A. Again, we have to be very careful about
2 the terminology that we're using, to acknowledge
3 that the condition of sex discordant gender
4 identity, and there are individuals that -- that
5 express an identity that is not in agreement with
6 their biology is a true statement. That's
7 undeniable that these -- there are individuals that
8 have this experience of discordance between their
9 gender identity and their sex.

10 Q. Do you believe that the experience of
11 discordance between their identity and what you term
12 their biology, is a disorder?

13 MR. KNEPPER: Objection, form.

14 A. So, again, it depends on what premise
15 you're operating under. As far as whether this is a
16 normal experience of -- of a human condition or
17 whether it falls outside of -- of the norm for us as
18 sexed beings. And, again, as a physician scientist
19 I'm obligated to be able to consider all
20 possibilities to be able to do the proper science to
21 get at the ultimate question here as to what we can
22 do to alleviate the suffering.

23 Q. (By Mr. Gonzalez-Pagan) Dr. Hruz, I guess
24 I'm a little confused as to what it is that is your
25 opinion here. Can you briefly summarize for me what

1 more cautious approach by the recognition that the
2 studies that have been done up to this point in time
3 do not give us an answer as to whether this is the
4 best or the only course of intervention to alleviate
5 that suffering. Is that -- is that what you're
6 looking for?

7 Q. Thank you. I appreciate that. In your --
8 as part of your opinions, do you provide -- let me
9 back up.

10 Do you express an opinion as to which
11 modality of care should be provided to people
12 diagnosed with gender dysphoria?

13 A. I believe that it's an ongoing scientific
14 question about what the most efficacious approach is
15 to provide the greatest benefit with the least
16 amount of risk. And that is why I'm participating
17 as an expert witness in this case, to bring to light
18 for the benefit of the court that this is something
19 that needs to be very much investigated to be able
20 to get an answer to that question.

21 Q. Do you express an opinion as to which
22 modality of care should be provided to people
23 experiencing gender dysphoria?

24 MR. KNEPPER: Objection, form.

25 A. I would say because it's an unsettled

1 scientific question, that I don't have a firm
2 opinion as to which is the best approach. Yet as
3 time has gone on, more and more information is being
4 generated that calls into question the
5 affirmation-only approach.

6 Q. (By Mr. Gonzalez-Pagan) And I don't
7 want -- what I'm trying to do is get clarity here.
8 So would it be fair to say that you do not provide
9 an opinion as to which modality of care should be
10 provided for people experiencing gender dysphoria?

11 MR. KNEPPER: Objection, form.

12 A. My opinion is that based upon the lack of
13 evidence for the gender -- gender-affirmation
14 approach, that if we are going to provide
15 interventions for this population that it is best
16 done under a carefully controlled clinical
17 experimental setting.

18 Q. (By Mr. Gonzalez-Pagan) You express that
19 there are ongoing questions as to the efficacy of
20 the gender-affirmation approach; is that right?

21 A. That is correct.

22 Q. Again for clarity's sake, are you --
23 you're not expressing an opinion with -- with
24 medical certainty as to whether the
25 gender-affirmation approach is effective or not; is

1 anxiety?

2 A. I would say that the answer is yes.

3 Q. So for people who experience gender
4 dysphoria and do not have any other co-morbidity,
5 what would you do to address their gender dysphoria
6 while the clinical trials are being conducted?

7 MR. KNEPPER: Objection, form.

8 A. That's a broad question. And it depends
9 upon the individual characteristics of the patient,
10 including their age and including all of the other
11 factors that are associated with that gender
12 dysphoria. Was it a child who is prepubertal? Is
13 it a child who is an adolescent? Is it an adult?
14 Is it a child or an adult that, you know, all of the
15 social situations or circumstances that they're
16 involved in?

17 Again, without having a formal
18 diagnosis of depression or anxiety or these other
19 co-morbidities, all of that is going to impact how
20 one approaches that particular patient.

21 Q. (By Mr. Gonzalez-Pagan) I guess here we're
22 talking about this case, you said it's a provision
23 of coverage for treatment for gender dysphoria; is
24 that right?

25 A. That is the nature of this case, correct.

1 had a new chairman that came on board from the one
2 that recruited me to that position. We disagreed in
3 more than one area.

4 There was also my research program
5 had been rapidly expanding and was getting into the
6 area of drug development. I would say that the role
7 of chief of any division is a thankless job. It
8 requires a tremendous amount of time and effort.
9 And so, you know, the decision to -- to step down
10 from that position was actually very advantageous to
11 my further career development. But, you know, it
12 was one of the -- the gender center was one among
13 many disagreements that I had at that time.

14 Q. Does the Washington University Transgender
15 Center offer pediatric and adolescent
16 gender-affirming care?

17 A. Yes. In the definition that we're talking
18 about here meaning the GnRH agonist or puberty
19 blockers, cross-sex hormones.

20 Q. Does the Wash --

21 A. In addition to --

22 Q. Does the Washington University Transgender
23 Center offer hormone therapy as treatment for gender
24 dysphoria in adults?

25 A. Does the pediatric center -- your question

1 is does the pediatric center provide care for
2 adults?

3 Q. Well, my -- the transgender center offers
4 both care to pediatric and adult patients; is that
5 right?

6 A. So in general, the care that's delivered
7 at St. Louis Children's Hospital spans birth to the
8 low -- early 20s. There are individuals that are
9 adults that are cared for by the adult endocrine
10 division. And there's a separate team of doctors
11 that participate in that care.

12 Q. Are you a member of the Endocrine Society?

13 A. Yes.

14 Q. The Endocrine Society publishes clinical
15 practice guidelines regarding the treatment of
16 gender dysphoria; is that right?

17 A. That's correct. Their initial document
18 came out in 2009 with lead author Hembree and then
19 they had a revision that was done in 2017.

20 Q. Showing you what's been marked as
21 Exhibit 5.

22 (Whereupon Exhibit 5 was
23 introduced for identification.)

24 A. Okay. I see it.

25 Q. (By Mr. Gonzalez-Pagan) Do you recognize

1 THE COURT REPORTER: Thank you.

2 MR. GONZALEZ-PAGAN: Borrowing a word from
3 you, John.

4 Q. (By Mr. Gonzalez-Pagan) What is WPATH?

5 A. It's an organization known as the World
6 Association of Professional Transgender Health. It
7 is -- again, this is the organization that came out
8 with their version seven of the guidelines quite a
9 long time ago to provide their perspective on what
10 should be done for people that experience sex
11 discordant gender identity.

12 Q. Does the Washington University Transgender
13 Center follow the WPATH guidelines?

14 A. Again, I will say that I'm not directly
15 involved in the gender center. My understanding
16 based on conversations with the director of that
17 center, he claims that they do.

18 Q. Do you, yourself, provide treatment for
19 gender dysphoria?

20 A. I will state that I'm a pediatric
21 endocrinologist charged with treating hormonal
22 diseases. And because I have not seen the evidence
23 that supports the proper risk/benefit to that
24 intervention, I do not provide that care, as I don't
25 in any other area where I have not determined

1 appropriate benefit versus risk.

2 Q. Have you ever diagnosed a person with
3 gender dysphoria?

4 MR. KNEPPER: Objection, form.

5 A. I'm a pediatric endocrinologist and my
6 charge is to treat hormone related diseases. And
7 therefore, I've not been called upon to make that
8 diagnosis.

9 Q. (By Mr. Gonzalez-Pagan) Would you agree
10 you do not have any clinical experience providing
11 care for people for gender dysphoria?

12 A. I would not agree with that.

13 Q. Do you provide treatment for people?

14 A. I provide -- I provide treatment for
15 hormone-related conditions that includes people with
16 gender dysphoria.

17 Q. But specifically in treating gender
18 dysphoria, do you have any clinical experience with
19 regards to the treatment of that condition?

20 A. Since I'm a pediatric endocrinologist, my
21 experience is limited to the treating of
22 hormone-related diseases.

23 Q. Is that a no?

24 A. I have not treated with hormones for the
25 purpose of alleviating gender dysphoria. I have

1 however treated patients that have experienced side
2 effects related to that hormonal treatment including
3 obesity, diabetes, dyslipidemia. So in that respect
4 I have treated them, but not to address dysphoria.
5 But, rather, the complications that have occurred in
6 association with that treatment.

7 Q. Clarify, you said association, yes?

8 A. That's correct.

9 Q. Do you have proof -- do you have proof
10 that it was caused by the treatment for gender
11 dysphoria?

12 A. If I thought I had enough evidence to say
13 cause, I would have said caused. I said
14 association.

15 Q. Thank you. You've given a number --
16 Strike that.

17 Have you given presentations
18 regarding gender dysphoria?

19 A. Yes.

20 Q. Have any of these presentations been at
21 medical conference -- conferences or settings?

22 A. Yes. I've -- well, I've delivered many
23 lectures to major academic centers during medical
24 grand rounds. And I'm happy to detail those for
25 you. It includes University of Tennessee, Texas

1 Tech, Notre Dame, the University of Montevideo. And
2 there are probably others. I can't remember. So --
3 and so as being a grand rounds presentation in major
4 medical centers, yes.

5 Q. Aside from grand rounds, have you provided
6 any presentations regarding gender dysphoria at any
7 medical conferences or sites?

8 A. Well, I would consider grand rounds a
9 conference.

10 Q. Grand rounds is when there's an invited
11 lecturer at a particular hospital and everybody is
12 invited to attend; is that right?

13 A. So you're asking about national meetings,
14 like the Endocrine Society meetings or such?

15 Q. Well, let me just clarify what grand
16 rounds are for the record. So what are grand
17 rounds?

18 A. Grand rounds are usually a recurring
19 series of talks given by experts in various fields
20 to the relevant scientific community about topics of
21 interest to those physicians. And generally, it
22 involves the presentation of high quality scientific
23 evidence for the conditions that those physicians in
24 the audience would encounter.

25 Q. Okay. So you have not conducted any

1 studies for any gender dysphoria, right?

2 A. I believe we answered that question
3 earlier when we went through my CV.

4 Q. Well, I'm just wondering what your
5 presentation of the grand rounds are since you have
6 not conducted any such study?

7 A. It was providing the same types of
8 evidence that I presented in my expert declaration
9 about the scientific studies that have been done or
10 need to be done in this field. Presenting the
11 various hypotheses for etiology and potential
12 treatment. The various side effects that are known
13 or potentially could occur. So it includes all
14 of -- or very similar information regarding the
15 scientific studies that I presented in my expert
16 declaration.

17 Q. And now, to continue aside from grand
18 rounds, have you provided any presentations
19 regarding gender dysphoria in any other medical
20 conferences or settings?

21 A. I would have to -- I'd have to think
22 through my list. It's actually most of the major
23 presentations that I've made are listed within my
24 CV. So I'd have to look back as to what I listed
25 there. But if you're asking about the Endocrine

1 Society or the pediatric Endocrine Society or those
2 types of organizations, I have not presented at
3 those conferences.

4 Q. Are you familiar with the gender and sex
5 conference?

6 A. Yes. And are you referring to the one in
7 Madrid.

8 Q. That was going to be my question. Did you
9 participate in the gender and sex conference in
10 Madrid in 2018?

11 A. I don't recall the exact date. But if it
12 was 2018, yes, I did present there.

13 Q. Did you know that the conference was
14 billed as, quote: A rebellion against the gender
15 ideology and its freedom destroying damaging law,
16 closed quote?

17 A. I -- I don't recall that language being
18 presented to me when I agreed to present at that
19 conference.

20 Q. Did you know that the conference was
21 focused on opposing what it termed "gender
22 ideology"?

23 A. You know, again, I was asked -- and this
24 is true for -- if you're going to go through the
25 list of all of the places that I've spoken at. When

1 I've been invited to present at any of these
2 conferences, my desire is to provide the most
3 accurate and up-to-date scientific information
4 related to the condition of gender dysphoria.

5 I am willing to present to any
6 audience that is willing to hear that information.
7 I don't make judgment about what the motives are of
8 the individuals organizing the conference. But
9 merely serve with my area of expertise and my
10 knowledge to be able to further that discussion in a
11 productive manner. And that applies to that sex and
12 gender conference in Madrid.

13 Q. Who organized the gender and sex
14 conference in Madrid?

15 A. I do not recall the entity. I'm sure
16 you'll tell me. But again that wasn't who invited
17 me was not as important as whether I was going to be
18 given the opportunity to present the information
19 objectively on this particular condition within my
20 area of expertise.

21 MR. GONZALEZ-PAGAN: Oh, shoot. John, I
22 just published an exhibit without a label. Do
23 you have any objection to me calling it
24 Exhibit 6?

25 MR. KNEPPER: Having done that very same

1 thing, Omar, let me take a look at it. But,
2 no, I -- I cannot imagine I will have an
3 objection. Actually it labeled it as Exhibit 6
4 automatically, but there's no stamp.

5 MR. GONZALEZ-PAGAN: There's no stamp,
6 yes.

7 MR. KNEPPER: Sheryl, you'll have to put
8 the stamp on it. But I'm completely okay with
9 calling that Exhibit 6.

10 MR. GONZALEZ-PAGAN: Thank you.

11 (Whereupon Exhibit 6 was
12 introduced for identification.)

13 Q. (By Mr. Gonzalez-Pagan) Dr. Hruz, I'm
14 showing you what's been marked as Exhibit 6.

15 A. I can see it.

16 Q. And I apologize for the formatting. Some
17 pages don't print as well as others. This appears
18 to be a press release following the conclusion of
19 the gender and sex conference which you were talking
20 about; is that right?

21 A. I've never seen this document before.

22 Q. Okay. If you go to the second page.

23 A. Okay. I think I'm there.

24 Q. It talks about the gender and sex -- in
25 the paragraph beginning eight speakers, sort of --

1 A. Okay. I'm there. I've got it now.

2 Q. Okay. It speaks of the gender and sex
3 conference as being organized by HazteOir.org and
4 its international platform, CitizenGo; is that
5 right?

6 A. That's what it says here, yes.

7 Q. And does that -- is that in keeping with
8 your recollection about who organized the gender and
9 sex conference?

10 A. Yes. I seem to recall now that you've
11 jogged my memory. That is correct.

12 Q. Okay. And then on the third page in the
13 middle, there's a paragraph beginning: The rest of
14 the panel experts and lecturers was made up by
15 Professor Glenn Stanton; Dr. Paul Hruz; the
16 sociologist, Gabriella Kuby; and the former
17 transsexual, Walt Heyer.

18 Did I read that correctly?

19 A. I see the paragraph that starts Stanton
20 assured that and, in quotes, the gender theory is
21 unscientific, is that what you're --

22 Q. Just above.

23 A. Oh.

24 Q. I skipped the links in reading those.

25 A. Ah, okay. I see that, yes.

1 Q. Okay. So it is your recollection then
2 that you presented at this conference; is that
3 right?

4 A. Oh, yes. I do recall the conference. I
5 just didn't until you reminded me. I didn't know
6 who organized it.

7 Q. You used the term "gender ideology" in
8 your report; is that right?

9 A. I have used that term in the course of my
10 investigation of this condition, yes.

11 Q. What is gender ideology?

12 A. I would define ideology is including
13 statements that are made on a non -- a
14 non-scientific basis with premises and goals that
15 are outside of science.

16 Q. Do you consider any healthcare
17 professional that subscribes to the gender-affirming
18 treatment model to be a gender ideologist?

19 A. I think you're conflating different terms.
20 You mentioned gender-affirming medical care and
21 ideology; those are two separate --

22 Q. Well, that's my question. My question is,
23 does somebody that provides or advocates for
24 gender-affirming treatment, is that person a person
25 who subscribes to the gender ideology?

1 turn to, to be able to define, you know, the
2 condition and the treatment approach. And I --

3 Q. Isn't that true for many psychiatric
4 conditions?

5 A. Absolutely. I would -- absolutely. It is
6 not unique to the area of gender dysphoria. In
7 fact, in talking, you know, to those that are
8 engaged more in the field of psychiatry, they will
9 acknowledge that the rudimentary nature of the
10 discipline in comparison to the rest of the
11 medical -- medical enterprise, it is a very known
12 and serious shortcoming. And there is a desire
13 certainly to -- to fill in those gaps.

14 And there's actually hope that as
15 time moves forward with the advance in tools that
16 one has, to study neurobiology and address some of
17 these questions. But there will be an opportunity
18 to provide clearer answers that are more evidenced
19 based.

20 Q. Sure. But, I mean, isn't that the nature
21 of science and medicine; we don't know everything,
22 period?

23 A. We know far less of the psychiatric
24 conditions that are listed in -- or many of the
25 psychiatric conditions -- I wouldn't say all -- that

1 Q. But your practice is in the field of
2 endocrinology, not psychiatry; is that right?

3 A. I think we've touched upon this earlier,
4 but I'm happy to expound upon that. Is --

5 Q. Well, it's a yes or no.

6 A. I'm a physician scientist. So I'm very
7 qualified to talk about deficiencies in scientific
8 evidence that are present in this particular area.

9 Q. So you're not a psychiatrist?

10 A. I covered that earlier. That I'm a
11 pediatric endocrinologist. Yes, that's correct.

12 Q. Are you aware that the revision of the DSM
13 involves the establishment of a scientific review
14 committee that evaluated and provided guidance on
15 the strength of evidence of any proposed changes?

16 A. You know, that is how they describe the
17 process. I again have asked for the evidence,
18 scientific evidence for the change between gender
19 identity disorder and gender dysphoria and then even
20 the move to shift toward the ICD code of gender
21 incongruence, that is based upon a scientific
22 evidence, rather than something other than that.

23 Q. You also make reference in your report
24 with statements by Thomas Insel, the then director
25 of the National Institute of Mental Health, that it

1 field forward. So I think that's entirely
2 consistent with my interpretation of the whole
3 question.

4 Q. Were you aware that two weeks after the
5 statement that you reference from Dr. Insel,
6 Dr. Insel issued a joint statement with the American
7 Psychiatric Association stating that, quote: The
8 American Psychiatric Association Diagnostic and
9 Statistical Manual of Mental Disorders, along with
10 the International Classification of Diseases
11 represents the best information currently available
12 for clinical diagnosis of mental disorders.

13 Were you aware of that statement?

14 A. Yes. And that is completely in agreement
15 with my opinion that I put forward here as well.

16 (Whereupon Exhibit 7 was
17 introduced for identification.)

18 Q. (By Mr. Gonzalez-Pagan) Showing you what's
19 been marked as Exhibit 7.

20 A. I have it.

21 Q. Okay. This is a statement issued by
22 Thomas Insel, the then director of the National
23 Institute of Mental Health, and Jeffrey Lieberman,
24 the then president elect of the American Psychiatric
25 Association; is that right?

1 A. Yes. I believe -- well, I don't know for
2 sure, but I agree.

3 Q. Okay. Right below DSM-5 and RDoC, colon,
4 shared interests, it states: The authors of this
5 statement.

6 Do you see that?

7 A. I see the two authors, Thomas Insel and
8 Jeffrey Lieberman, correct.

9 Q. All right. Going to the second paragraph,
10 it reads: Today the American Psychiatric
11 Association Diagnostic and Statistical Manual of
12 Mental Disorders, along with the International
13 Classification of Diseases represents the best
14 information currently available for clinical
15 diagnosis of mental disorders. Patients, families
16 and insurers can be confident that effective
17 treatments are available, and that the DSM is the
18 key resource for delivering the best available care.
19 The National Institute of Mental Health has not
20 changed its position on DSM-5. As the National
21 Institute of Mental Health research domain criteria
22 project website states, the diagnostic categories
23 represent that in the DSM-IV and the International
24 Classification of Diseases 10, the main contemporary
25 consensus standard for how mental disorders are

1 diagnosed and treated.

2 Did I read that correctly?

3 A. You read it correctly. Yet what follows
4 in the next paragraph is more pertinent to the
5 statement that I made in the declaration
6 acknowledging the fact that the DSM is not
7 sufficient for researchers and the statement was
8 related to the basis for research funding. So, you
9 know, taken in context, this document is completely
10 in line with the statement that I made about the
11 limitations of the DSM.

12 Q. But the DS -- the DSM -- this is a case
13 about the treatment of gender dysphoria; is that
14 right?

15 MR. KNEPPER: Objection form.

16 A. So as we've been talking about all
17 morning, okay, the ability to have effective
18 treatments is based upon quality research. And if
19 the DSM is not sufficient for researchers to be able
20 to conduct their scientific study, because of how
21 the DSM generates their diagnostic codes, I think
22 that that understanding is completely relevant to
23 why one needs to be aware of that.

24 Q. (By Mr. Gonzalez-Pagan) All right. Going
25 to what is the fifth paragraph, the second to last

1 sentence. It states: As research findings begin to
2 emerge from the RDoC effort, this finding may be
3 incorporated into future DSM revisions and clinical
4 practice guidelines. But this is a long-term
5 undertaking. It will take years to fulfill the
6 promise that this research effort represents for
7 transforming the diagnosis and treatment of mental
8 disorders.

9 Did I read that correctly?

10 A. You did read it correctly.

11 Q. Is there a reason why you did not include
12 this follow-up statement from Dr. Insel regarding
13 the DSM views and reliability in your report?

14 A. You know, I could have put the entire
15 document that you have here into the report. The
16 point being made, I think, is one that I fully agree
17 with. I think that as we be able to -- are able to
18 incorporate science into the DSM, it is going to
19 increase in its validity and its usefulness. But in
20 its current state there is acknowledged in this
21 statement itself by the fact that this research is
22 needed. It acknowledges the deficiencies that
23 currently exist. So there's a whole host of other
24 things that I could have included in my declaration.
25 The point that was intended, I think, was

1 sufficiently made and supported even by this
2 document that you put forward as a new exhibit.

3 Q. Sure. But in clinical qualification to
4 your statement is that that doesn't exist yet, and
5 that the DSM is the best current available tool that
6 we have according to this statement?

7 MR. KNEPPER: Objection, form.

8 A. The point I made is that there are
9 deficiencies in how it was -- or limitations how the
10 DSM has been put together. And that is relevant to
11 the understanding of how we put forward hypotheses
12 for efficacious treatments. And so I would say
13 that, you know, that's -- the state of knowledge in
14 this area is -- is what is of concern and how we are
15 using the DSM beyond its capabilities without
16 knowledge of molecular or physiologic mechanisms for
17 most of the psychiatric diseases is a major
18 limitation which is acknowledged by the authors of
19 this document. That is what I believe is important
20 for the court to recognize and to understand as we
21 move forward in this conversation.

22 Q. (By Mr. Gonzalez-Pagan) In your report you
23 speak of three modalities of treatment for gender
24 dysphoria; is that right?

25 A. I would say three different categories

1 based upon different underlying scientific premises.
2 I think the reality of interventions are much
3 broader than that and not as easily demarcated into
4 three categories. But indeed, I do present those in
5 my declaration.

6 Q. And these modalities, are they reparative
7 therapy, watchful waiting and the affirming
8 approach?

9 A. That is how I presented it, correct. And,
10 again, if it would be helpful, if we're going to
11 talk about it, if we can direct ourselves to that
12 part of my declaration.

13 Q. We'll get there. Are you familiar with
14 Ken Zucker's work?

15 A. Yes, I am.

16 Q. In fact, you repeatedly cite Dr. Zucker
17 throughout your report; is that right?

18 A. Yes, I do, among other people, yes.

19 Q. What do you understand to be the model of
20 care that Dr. Zucker employed?

21 A. Broadly speaking prior to his clinic being
22 shut down was to approach care in a way to
23 understand the underlying basis for the sex
24 discordant gender identity in that era was referred
25 to as gender identity disorder.

1 And to -- one of the approaches that
2 he used was to help facilitate an individual to
3 realign their gender identity with their sex. And
4 if that was not possible, would then advocate for
5 moving forward with affirmative approaches.

6 Q. So under Dr. Zucker's model, affirming
7 care would be provided if there was persistence of
8 cross-gender identification into adolescence and
9 adulthood?

10 A. Based upon the information that Dr. Zucker
11 had at the time that he was engaged in that care,
12 that was how he proceeded, yes. He was not privy to
13 the information that has come forward in the last
14 several years about outcomes with that affirmative
15 approach.

16 Q. What is the watchful waiting model?

17 A. Again, all of these approaches are based
18 upon different scientific premises and it is based
19 upon the experience that the majority of prepubertal
20 children that experience sex discordant gender
21 identity, if merely left alone, will have
22 spontaneous realignment of their gender identity
23 with their sex.

24 And it is again, whether it's
25 intended or not, perceived as to be a desirable

1 outcome. And that those individuals that have that
2 experience will not be exposed to gender-affirming
3 medical interventions with all the associated risks
4 and questionable benefits that we -- that I
5 mentioned already. And I certainly can share more
6 information if you would like.

7 Q. Let me introduce you to what's been marked
8 as Exhibit 8.

9 (Whereupon Exhibit 8 was
10 introduced for identification.)

11 Q. (By Mr. Gonzalez-Pagan) Do you have access
12 to the exhibit?

13 A. Yeah. I'm seeing it now, correct.

14 Q. This is a publication on -- it's an
15 article on adolescent health medicine and
16 therapeutics; is that right?

17 A. I'm seeing that here. Is this a
18 peer-reviewed journal -- a peer-reviewed article,
19 just so I know?

20 Q. I'll answer that question for you then.
21 The answer is yes, but it's the next exhibit.

22 A. Okay. I'm sorry. Did you have a question
23 for me?

24 Q. Not yet.

25 A. Okay.

1 Q. I will represent to you that this is a
2 peer-reviewed journal, but -- and I'll come back
3 to -- to another exhibit to discuss that with you.
4 But turning --

5 A. The reason I ask that was because it's a
6 review article. And even in peer-reviewed journals,
7 not all reviewed articles are reviewed with the same
8 rigor. So that's -- but thank you.

9 Q. Let's exit out of that exhibit. And if my
10 computer will cooperate.

11 (Whereupon Exhibit 9 was
12 introduced for identification.)

13 Q. (By Mr. Gonzalez-Pagan) All right. I'm
14 introducing what's been marked as Exhibit 9.

15 A. I have the document, just so you know.

16 Q. Great. Do you see where it describes the
17 journal as an international peer-reviewed, open
18 access journal focusing on health, pathology and
19 treatment issues specific to the adolescent age
20 group?

21 A. That's true. Just below the ISSN number.

22 Q. Correct.

23 A. Yes, I see that.

24 Q. Okay. So you would agree that it is a
25 peer-reviewed journal?

1 A. Yes. They're claiming it is. I would
2 have no reason to doubt that.

3 Q. Okay. So going back to Exhibit 8. If you
4 can turn to Page 61 of the document.

5 A. Okay. Are you referring to the
6 highlighted area?

7 Q. Well, we're going to go to the bottom of
8 the right-hand -- right-hand column.

9 A. Okay.

10 Q. Under the watchful waiting model.

11 MR. KNEPPER: And, Omar, let's identify on
12 the record the highlighting is not in the
13 underlying document, but it's been added.

14 MR. GONZALEZ-PAGAN: For the record, the
15 highlighting in the exhibit has been added by
16 me. Otherwise the document is unaltered.

17 Q. (By Mr. Gonzalez-Pagan) The highlighted
18 portion states -- reads: In contrast to live in
19 your own skin approach, a young child's
20 demonstration of gender nonconformity, be it gender
21 identity, expressions or both, is not to be
22 manipulated in any way, but observed over time. If
23 a child's cross-gender identification and
24 affirmations are persistent over time, interventions
25 are made available for a child to consolidate a

1 transgender identity, once it is assessed, through
2 therapeutic intervention and psychometric assessment
3 as in the best interest of the child. These
4 interventions include social transition (the shift
5 from one gender to another, including possible name
6 change, gender marker change and gender pronoun
7 changes), puberty blockers and, later, hormone and
8 possible gender-affirming surgeries.

9 Did I read that correctly?

10 A. Yes.

11 Q. So under the watchful waiting model,
12 gender-affirming care is provided for adolescents
13 and adults if they persist in the cross-gender
14 identification; is that right?

15 MR. KNEPPER: Objection to form.

16 A. That's correct according to this use of
17 the model, yes.

18 Q. (By Mr. Gonzalez-Pagan) Well, the watchful
19 waiting model was developed by -- it's the Dutch
20 model. It was developed in the Amsterdam Center of
21 Expertise on Gender Dysphoria; is that right?

22 A. That's my understanding.

23 Q. Under the gender-affirmative model,
24 medical and -- no medical and surgical interventions
25 are initiated until after the onset of puberty; is

1 that right?

2 A. If you're talking about there's no reason
3 to block puberty that hasn't started yet or to
4 intervene with cross-sex hormones until that age;
5 that is correct.

6 Q. Did you disclose to the -- in your report
7 that under Dr. Zucker's model, under the watchful
8 waiting model, and under the gender-affirmative
9 model, gender-affirming medical treatment is
10 indicated if cross-gender identification persists
11 into adolescence and adulthood?

12 A. I would challenge you on the assertion
13 that it's indicated. I would say that the model
14 itself bases itself on the next step of
15 intervention. Whether there's a prudent approach is
16 really what is of concern with the literature that
17 we have available. So the models itself indeed --
18 and they actually differ in not only in the timing
19 of when one engages.

20 The affirmative model actually begins
21 earlier with social affirmation, not just medical
22 intervention. And there's different scientific
23 premises that are underlying -- underlie these two
24 different approaches.

25 Q. But under each of the models of the three

1 models that we've discussed, medical and surgical
2 care is provided as a mode of treatment?

3 MR. KNEPPER: Objection, form.

4 A. Under the model. So let me be clear.
5 Okay. So the reason for the watch and wait approach
6 is to know that in prepubertal children that present
7 with gender dysphoria, that the vast majority of
8 them will have that spontaneous realignment, other
9 gender identity with their sex, by varying estimates
10 ranging from 50 to 98 percent. I think 88 --
11 85 percent is a good average based upon the
12 published literature.

13 That means that this would apply to
14 15 -- at most 15 percent, maybe even less, that
15 would have persistence. It also makes the
16 assumption -- and this is certainly one that one
17 considers with the current social environment as to
18 whether the influence of the social affirmation
19 component, you know, is -- is provided.

20 So the underlying premises are
21 different in the two models. One has a premise that
22 there are a number of factors that led to the gender
23 dysphoria. And the vast majority of individuals,
24 that they may differ from one patient to another.
25 There is no biological test that one can do to

1 determine which of these individuals are going to
2 have persistence or have that spontaneous
3 realignment. And the safest course of action is to
4 do nothing until things are sorted out.

5 The gender-affirmative model makes a
6 scientific premise that when one experiences sex
7 discordant gender identity, it reflects something
8 that is innate and immutable. And, therefore, a
9 prudent approach would be to immediately engage in
10 social affirmation followed by these hormonal
11 interventions. I hope that I've stated that clearly
12 enough for you and for the court.

13 Q. (By Mr. Gonzalez-Pagan) Sure. But
14 ultimately as to the question for transgender people
15 who persist in their cross-gender identification by
16 definition into adolescence and adulthood, medical
17 care and surgical care if indicated under any of the
18 three models, that being Zucker's model, the
19 watchful waiting model or the gender-affirming
20 model?

21 A. I don't know that I would distinguish what
22 we were talking about earlier with the Zucker model
23 being -- I think you're doing that more as the
24 reparative therapy.

25 And this is based upon again the

1 issue at hand of the emerging scientific evidence
2 that leads one to question whether this provides a
3 long-term solution to the problem of dysphoria.
4 And, again, I will state again that there are many
5 concerns about the presumption in proceeding with
6 affirmative care that can be challenged by the
7 outcomes that one is observing about how well these
8 individuals are doing after receiving the
9 gender-affirmative care.

10 So this is -- these are statements in
11 this particular paper by Dr. Ehrensaft that is based
12 upon the presumption that those are -- who receive
13 the affirmative approach are going to be completely
14 cured of their difficulties that they experience.
15 And my point is that when you say indicated, it
16 fails to recognize the -- the challenges that are
17 emerging for that outcome.

18 Q. Sure. But my last question wasn't whether
19 it was indicated. My last question is whether under
20 each of the three models -- and let me clarify
21 something. You discuss a reparative therapy model
22 in your report; is that right?

23 A. Yes. Can we again go to that part just so
24 you can direct me just so we can be looking exactly
25 at what I wrote.

1 Q. Sure. It's Page 49 going into Page 50.

2 A. Thank you very much. Okay. Very good.

3 Q. My point is --

4 A. I do remember what I wrote. I just want
5 to make sure we're talking about the same thing.

6 Q. My point is that -- that I'm trying to
7 distinguish actually there are four models, if you
8 will. The Ken Zucker model is distinguished from
9 reparative therapy in that -- in a significant way.

10 And let's go to Page 61 of Exhibit 8,
11 the highlighted portion above the watchful waiting
12 model. It states: If by the arrival of puberty a
13 child is still exhibiting cross-gender
14 identification and expressing a cross-gender
15 identity, that child should be supported in
16 transitioning to the affirmed gender including
17 receiving puberty blockers and hormones once it is
18 assessed from clinical interviews and psychometric
19 testing that the affirmed gender identity is
20 authentic.

21 Did I read that correctly?

22 A. Yes.

23 Q. Okay. So my question was whether you
24 disclose in your report that under the watchful
25 waiting model and/or Ken Zucker's approach,

1 gender-affirming medical care is provided after the
2 onset of puberty?

3 A. I'm trying to -- let's go back again to my
4 report and the context of the discussion that I'm
5 putting forward. You said that was -- we were on
6 page -- page or bullet point No. 59, I think you
7 said.

8 Q. Page 49, going into 50.

9 A. 49. Okay. That's where I -- that's where
10 I lost you. I was on 59. Sorry. So I would also
11 add that the presentation of three broad
12 categories -- and you've mentioned a variation of
13 one of those categories saying there are four
14 approaches. I would -- I would posit it that
15 there's a number of other hypotheses that have been
16 put forward about treatment approaches that --

17 Q. Did you disclose any of those other
18 approaches in your report beyond the three that you
19 listed in this paragraph?

20 A. Let me explain what I mean by that. Okay?
21 As I repeatedly said in my declaration that there
22 are multiple hypoth -- alternative hypotheses that
23 can be put forward about the most prudent approach
24 to care. These broad categories provide the
25 foundation for understanding the design and

1 implementation of these various applications of
2 these broad categories.

3 The point of dividing it up into
4 three categories is to really -- and I think that
5 that is still valid -- that the starting underlying
6 scientific hypotheses or the scientific premise, I
7 should say, varies in these three different
8 approaches. How that scientific premise is
9 translated into hypotheses that lead to care
10 approaches is -- is at issue here. And that I think
11 is the important point that I wanted to illustrate
12 for the court. And make it very clear that what is
13 put forward by the plaintiff experts, and they said
14 this repeatedly, is that the affirmation-only
15 approach is the only accepted intervention in the
16 care of gender dysphoria youth. And in this paper
17 here and in my declaration, you know, challenge that
18 as far as the most prudent approach. And that's the
19 point of why it was included in a benefit for the
20 court.

21 The affirmation approach is not the
22 sole approach. And there are alternative approaches
23 that haven't been adequately investigated and that
24 need to be investigated. And this is an area of
25 unsettled controversial treatment that is going on

1 currently.

2 Q. Sure. But ultimately there's a
3 distinction that they are different, right? Under
4 all three of these models, gender medical care and
5 surgical care is provided after the onset of
6 puberty?

7 MR. KNEPPER: Objection, form.

8 A. I would say that is an important
9 distinction because if the underlying --

10 Q. (By Mr. Gonzalez-Pagan) The modalities of
11 treatment, are they different?

12 A. If the outcome of the affirmation approach
13 is proven to be not effective it would change the
14 way that one applies that model to the effected
15 patients.

16 Q. But on the altering model, you're
17 providing medical care after the onset of puberty.
18 So the real difference has to do with prepubertal
19 children and how they're treated; is that right?

20 A. Well, let's talk a little bit about the
21 emerging demographic of what we are experiencing
22 right now. Many of the people --

23 Q. But that's not my question, though.
24 Like --

25 A. Okay. I don't think it applies

1 exclusively to the prepub -- medical care -- I would
2 say the hormonal interventions apply only to people
3 that have progressed at least to stage two puberty.
4 Social affirmation applies across the board and
5 would be relevant whether one presented during
6 adolescence or in childhood.

7 Q. But social affirmation is not a medical or
8 surgical treatment.

9 A. Many would argue that. And I would say in
10 a technical sense, that is true. However, there are
11 many concerns that are evidenced in the literature,
12 that that influences the trajectory of the children
13 as to whether they go on to medical care. So many
14 can and have argued that it is the first step that
15 is leading them on to the subsequent hormonal
16 interventions. So I think it is relevant.

17 Q. In Paragraph 50 in discussing -- in
18 describing the watchful waiting approach, you note
19 that this approach may include the use of
20 scientifically validated treatment, e.g., CBT, for
21 the patient's anxiety, depression, social skill
22 deficits or other issues.

23 But you do not note that
24 gender-affirming medical care and surgical care are
25 provided under this approach. I'm just wondering

1 why you did not provide that context in your report?

2 A. Because that's under the premise that the
3 affirmative approach actually provides benefit, and
4 throughout my declaration I have raised multiple
5 concerns with existing published data that lead to a
6 presumptive or tentative conclusion that at best we
7 should have more caution to that approach.

8 Q. So at best your description of the
9 watchful waiting approach in this paragraph is
10 incomplete?

11 MR. KNEPPER: Objection.

12 A. Let's read through and we can even read it
13 into the record if you'd like, the way that I
14 present that. Because that's where I think it's
15 important to look at this in context.

16 Q. (By Mr. Gonzalez-Pagan) Actually let's
17 just -- let's just go to Paragraph 53 of your
18 declaration. It states: Another controversy --

19 A. Hold on. I'm not there yet.

20 Q. Okay. I'll wait for you.

21 A. It's a long paragraph.

22 Q. Well, I'm right at the beginning of
23 Paragraph 53.

24 A. It starts with "assistance"?

25 Q. Paragraph 53.

1 A. Paragraph 53 talking about another
2 controversy, the watchful waiting treatment; is that
3 what you're talking about?

4 Q. Sure.

5 A. Okay.

6 Q. I'll just read the heading: Another
7 Controversy, the watchful waiting treatment modality
8 involves no medical treatment and is currently the
9 best specifically -- sorry -- is currently the best
10 scientifically supported intervention for young
11 children reporting gender dysphoria.

12 But the watchful waiting model does
13 involve medical treatment; isn't that right?

14 A. Perhaps to clarify that statement when I
15 say young children when we're referring to
16 prepubertal children, that is true, and it is
17 actually included in the Endocrine Society
18 guidelines. As far as the concerns about
19 intervening and the caution that should be expressed
20 precisely because of the high rates of desistence.

21 So that statement, again, when we're
22 talking about social affirmation and your contention
23 as I'm hearing it as you're stating it is social
24 affirmation is not technically a medical
25 intervention. And I think we've already discussed

1 that. That it is relevant as far as the first step
2 in influencing the trajectory of these individuals.

3 Q. This case --

4 A. And there's also --

5 Q. So this case involves gender-affirming
6 care, right?

7 MR. KNEPPER: Object to form.

8 MR. GONZALEZ-PAGAN: I apologize, Sheryl.

9 A. So -- so -- okay. Let's -- let's also
10 move on. So if -- if you then look at the first
11 stage of medical intervention which involves the
12 administration of an GnRH agonist or also known as a
13 puberty blocker, significant concerns that that
14 normal trajectory where you see the majority 50 to
15 98, I would say 85 percent have the desistence.
16 That demographic or that statistic changes
17 drastically in those individuals that have received
18 that first step of pubertal blockade and that
19 actually most of the studies that have been
20 published thus far says the vast majority of -- it's
21 not 100 percent. It's very close to that -- will go
22 on cross-sex hormones. So again that is not -- that
23 is more the affirmative model.

24 The watch and wait model would posit
25 that as a child begins into their puberty, that

1 acknowledging that the bodily changes that occur may
2 heighten the level of dysphoria that they
3 experience. But as they go through that
4 developmental process, that experience of puberty is
5 actually critically important in the overall
6 integration of one's identity with their sex. And
7 that would be consistent with the watch and wait
8 model. So that again, as being presented in this
9 one review article by Dr. Ehrensaft -- much more I
10 could say about that -- I think there's much more to
11 be said about the way that these models are being
12 presented.

13 Q. The study that you -- the study to which
14 you refer regarding persistent cross-gender
15 identification following the provision of GnRH
16 analogue, is that the de Vries study?

17 A. That's the one that shows a hundred
18 percent persistence or a hundred percent moving that
19 across sex hormones. There's been subsequent ones
20 where it's not been a hundred percent, but it's been
21 the 90 percent range.

22 Q. You say that those studies pertain to the
23 application of the gender-affirmation model, but the
24 de Vries study is actually speaking to the watchful
25 waiting model. It is the Dutch model.

1 A. We need to say a lot more about that if we
2 want to flesh that out for you. I don't know that
3 you've adequately characterized the Dutch model.
4 And I will add that the Dutch model was presented a
5 decade ago with a different patient population that
6 is currently presenting at the gender clinics across
7 the world. And even --

8 Q. But that's a different point than -- than
9 the one that we're talking about, right? You
10 indicated that the affirmation model -- studies show
11 that the affirmation model leads into persistence,
12 but you're relying on a study based on the Dutch
13 model.

14 A. Well, I would qualify that statement. I
15 didn't say that it leads to that model, because the
16 way the study was conducted, you know, causal effect
17 cannot be inferred. Okay? So I would moderate
18 that. But I would say it's certainly of concern
19 that that number is drastically different than the
20 prior studies that have shown that rate of
21 spontaneously -- spontaneous realignment with gender
22 identity with sex.

23 Q. But those are different populations,
24 right? I mean, we're talking about prepubertal and
25 pubertal youth versus prepubertal youth?

1 A. Not necessary -- so, again, you know, it
2 would be much more helpful to talk about specific
3 studies. In the de Vries study, the whole basis of
4 giving pubertal blockers applied only to pubertal
5 patients.

6 Q. That's by definition any person who's
7 receiving puberty blockers.

8 A. No necessarily.

9 Q. It has to happen at the onset of puberty.

10 A. Well, yes, onset of puberty, that would be
11 the only indication for giving it in the area of
12 pediatrics.

13 MR. GONZALEZ-PAGAN: All right. How about
14 we break now for lunch?

15 MR. KNEPPER: Dr. Hruz?

16 MR. GONZALEZ-PAGAN: Well, I'm -- I'm
17 hungry, so.

18 MR. KNEPPER: I know. This works with
19 your diet?

20 THE WITNESS: Yeah. I think as we go
21 through this, I'm going to be happy just
22 plowing through. So it's going to have to come
23 from your end if you want to take a break.

24 MR. GONZALEZ-PAGAN: Well, it's coming
25 from my end. Because I -- I'm running on a

1 have to demonstrate a concept of what we call
2 non-inferiority. So if that's the natural outcome,
3 so if there's a realignment with gender identity
4 with sex and that obviates the need for them to go
5 on to receive hormonal treatment of any sort at all,
6 that would be a desired outcome.

7 The challenge is that in those
8 individuals, there is no reliable diagnostic test to
9 predict which of those children are in the category
10 of 85 percent, like we go to this realignment versus
11 the subset that's going to persist in that sex
12 discordant gender identity.

13 So that's the challenge. So I would
14 say I wouldn't be so firm to make an absolute
15 determination of the best course of action, but I
16 wouldn't say that any alternate approach would have
17 to prove that non-inferiority outcome.

18 Q. (By Mr. Gonzalez-Pagan) Okay. And the
19 desistence study speaks to prepubertal youth who
20 were diagnosed with gender identity disorder under
21 the DSM-III or the DSM-IV; is that right?

22 A. So this is -- I'm very much aware of that
23 critique, and the way that people have attempted to
24 dismiss that desistence literature based upon that
25 difference of gender identity disorder versus gender

1 dysphoria. It's very interesting that if you look
2 in detail for example at that same paper the number
3 of people based upon the criteria --

4 Q. I'm sorry, Doctor. I apologize for
5 interrupting. But I guess -- I'm happy to go into a
6 conversation about this. But I guess I have a
7 predicate question, which is I want to establish
8 whether it's true or not that the desistence studies
9 are based on prepubertal children diagnosed with
10 gender identity disorder as opposed to gender
11 dysphoria under the DSM-5?

12 A. Well, older studies would certainly
13 necessitate that they use the diagnostic criteria
14 that was available at the time the study was
15 conducted. And some of them -- and most of those
16 studies were the era prior to the revision of the
17 DSM-5 giving the gender dysphoria diagnosis.

18 Q. Are you aware of any studies looking into
19 the desistence in prepubertal youth using the DSM-5
20 criteria?

21 A. You know, that is an outstanding question
22 and I'm very happy to share with you the problems
23 with that question. In the fact that because of
24 what has happened in the approach to the care of
25 these individuals, the opportunity because of the

1 widespread adoption of the affirmation only approach
2 and the early adoption of social affirmation makes
3 it very challenging to be able to even put forward
4 as a hypothesis a study that would be able to
5 operate under the current diagnosis of gender
6 dysphoria.

7 And I think that's very problematic
8 as we seek to understand the natural history of this
9 disease, and we seek to find ways to alleviate the
10 suffering that will be sustained long-term in these
11 individuals. I think it's the fact that the
12 discussion is not allowed to occur and the studies
13 have not been proposed and conducted. And even if
14 they were, there would be challenges in the current
15 environment of really encouraging that social
16 affirmation approach.

17 So the answer to the question is that
18 there are many problems that currently exist as to
19 why those studies have not been reported and would
20 be very difficult to perform at this point in time,
21 yet would be essential to providing the best care
22 for these individuals.

23 Q. Okay. But you do not know of any studies
24 documenting an 85 percent desistance rate for kids
25 diagnosed -- prepubertal kids diagnosed with gender

1 dysphoria mode in the DSM-5?

2 A. I'm not aware the question has actually
3 been investigated by a scientific trial. Not that
4 there's data that says it doesn't exist, but that it
5 has not been investigated. The only data that's
6 available right now are people that have received
7 that social affirmation which clearly shows that
8 that demographic has changed. And, you know, if you
9 ask this as a hypothesis --

10 Q. I appreciate that, Dr. Hruz. We'll get to
11 the demographic changes later on. But I want to
12 stay focused. So going back, the studies have to
13 do -- the studies in desistance that you reference
14 have to do with prepubertal children; is that right?

15 A. The ones that were done previously that
16 I'm referring to dealt with prepubertal children.
17 Now, there's another component of this, that of --
18 you divided this between prepubertal and adults.
19 And it's very necessary if we're going to adequately
20 address this question to consider what happens
21 during the period of puberty.

22 Q. Okay. Are there studies that document
23 desistance during the period of puberty?

24 A. There are case reports. There are not --
25 and there's a growing -- this gets at the --

1 Q. In your report you state that case reports
2 are not valid scientific evidence.

3 A. They are useful for hypothesis generation.
4 They're not useful for making definitive causal
5 conclusions. That is correct.

6 Q. So are there any studies showing high
7 desistence among adolescence diagnoses with gender
8 identity disorder?

9 A. There are not. And the reason for that,
10 again, is because in many of the studies where one
11 looks at this, there's a very, very high dropout
12 rate in many of the subjects where one can't
13 conclude at all what the outcomes were. Based upon
14 the available evidence, more by case reports of
15 growing number of people experiencing this
16 desistence, that did occur when it's experienced
17 post pubertally would lead one to raise hypotheses
18 to be investigated in a rigorous scientific manner
19 to address that question.

20 Q. You believe that all medical treatment
21 needs to be subjected to randomized clinical trial?

22 A. It depends on -- so every medical decision
23 that is made is based upon consideration of the
24 overall risk and the overall benefit. And I think
25 that the greater the risk, the greater the scrutiny

1 are certainly --

2 Q. But that's just a hypothesis; is that
3 right?

4 A. You know, all along here, I've been
5 tell -- I've been stating, and I hope very clearly,
6 that much of my opinion is based upon hypotheses and
7 alternative hypotheses, because there is no
8 definitive answer to this question. But the
9 prevailing current hypothesis that's not presented
10 as a hypothesis, it's presented as an established
11 fact, is that gender-affirming interventions are the
12 solution to gender dysphoria. And that is what I
13 challenge. And that is what, I think, is very
14 important for this court to understand, is that the
15 scientific evidence does not support that as being a
16 cure for all of the difficulties that these
17 individuals are experiencing.

18 Q. Going back to the desistence studies.
19 What is the error rate for the desistence studies
20 that you rely on?

21 A. So the error rate is -- there's a number
22 of factors. I'm glad that you brought this up as
23 far as, you know, how we think about the reliability
24 of studies. So this is a problem throughout the
25 literature. And I've addressed this in my

1 Q. (By Mr. Gonzalez-Pagan) Are you aware
2 that the American Psychiatric Association opposes
3 reparative therapy efforts regarding gender
4 identity?

5 A. Now we're into a new line of questioning
6 about medical societies. But I'm aware of -- of the
7 general recommendations for affirmation only. That
8 is entirely consistent with what has been put
9 forward by WPATH, American Psychological
10 Association. There's a little bit more caveat in
11 the Endocrine Society guidelines. I think they're a
12 little bit more cautious in the prepubertal
13 children, at least in the 2009 document cautioned
14 against social affirmation in recognition of the
15 same desistence literature that I'm referring to.
16 Again, not just my opinion. This is the
17 professional societies in the 2009 guidelines
18 acknowledged those studies of being relevant to that
19 consideration of treatment.

20 Q. Sorry. I just don't want us to go down a
21 different path. I'm not talking about the general
22 position statement about gender-affirming care. I
23 am talking about the physician statements regarding
24 conversion therapy. Are you aware that the American
25 Psychiatric Association opposes conversion therapy

1 eff -- conversion therapy efforts?

2 A. The reason I answered in the way I did to
3 your previous question was not to evade the
4 question. It was merely to -- you began with a
5 professional association. And so it's necessary to
6 acknowledge what the basis of those statements are.
7 The APA recommends the affirmative approach to care.

8 Q. Okay. But that's not my question. That
9 is a different position statement. And I'm glad --
10 yeah, the APA does do that. But does the American
11 Psychiatric Association also have a position
12 statement regarding conversion therapy?

13 A. Okay. Thank you. Because you used the
14 word "conversion therapy" for the first time. I
15 think it's very important for us to acknowledge when
16 we're talking about reparative therapy and what
17 people talk about as far as conversion therapy.
18 That's actually a pejorative term that actually is
19 trying to equate these efforts to realign gender
20 identify with sex to a completely different
21 condition related to same sex attraction with
22 methods that virtually everyone would recognize as
23 being unethical.

24 And so I think it's an injustice
25 to -- and the statements are often made in the

1 literature published talking about conversion
2 therapy.

3 Q. All right. One second. Let's just go --
4 let's just go to Page 49 of your report,
5 Paragraph 52.

6 A. Sorry. Paragraph 52?

7 Q. Yeah. So very last sentence going into
8 the next page of your report states: The first
9 approach often referred to as conversion or
10 reparative -- reparative therapy --

11 A. Correct.

12 Q. -- is directed to or actively supporting
13 and encouraging children to identify with their
14 biological sex.

15 Did I read that correctly?

16 A. I could add often incorrectly referred to
17 as conversion therapy. I think that's probably
18 something I could have added to my declaration to
19 indicate that. I think it's incorrect and an
20 injustice to use that term to describe the approach
21 to -- to addressing gender dysphoria.

22 Q. Are you aware that the American -- you
23 know what, let's -- I apologize. I forgot the stamp
24 again. It is marked Exhibit 10. Do you see that?

25 (Whereupon Exhibit 10 was

1 introduced for identification.)

2 A. Correct. I see this.

3 Q. (By Mr. Gonzalez-Pagan) Okay. Under the
4 position heading at the bottom of the page, in
5 Paragraph 2, it states: APA recommends that ethical
6 practitioners respect the identity for those with
7 gender diverse expression.

8 Did I read that correctly?

9 A. I'm in the wrong paragraph. You said the
10 second paragraph?

11 Q. Under -- under the heading position at the
12 bottom of the page?

13 MR. KNEPPER: Omar, I think you made -- I
14 think you swapped gender and diverse. But it's
15 just -- in other words, I think you read gender
16 diverse expression and it's diverse gender
17 expression.

18 Q. (By Mr. Gonzalez-Pagan) Sure. Let me
19 just read that again. Are you there?

20 A. I'm here. Okay. I'm sorry. I was
21 reading the introductory paragraph. Sorry.

22 Q. Okay. It states, Paragraph 2, quote: APA
23 recommends that ethical practitioners respect the
24 identity for those with diverse gender expressions.

25 Did I read that correctly?

1 A. Yes.

2 Q. Then just below that on Paragraph 3 on the
3 next page, it states, quote: APA encourages
4 psycho -- psychotherapies which affirm individual's
5 sexual orientations and gender identities.

6 Did I read that correctly?

7 A. Yes.

8 (Whereupon Exhibit 11 was
9 introduced for identification.)

10 Q. (By Mr. Knepper) Showing you what's been
11 marked as Exhibit 11.

12 A. I see it.

13 Q. Okay. This is a resolution by the
14 American Psychological Association on gender
15 identity change efforts. Is that right?

16 A. That's the title of this document,
17 correct.

18 Q. It's dated February 2021; is that correct?

19 A. That's correct.

20 Q. Go to the second page, third to last
21 paragraph on the right-hand side column. And it's
22 use of GICE as an acronym for gender identity change
23 effort; is that right?

24 A. I see that, yes.

25 Q. It reads: Whereas, GICE has not been

1 shown to alleviate or resolve gender dysphoria
2 (Bradley and Zucker, 1997; Cohen-Kettenis & Kuiper,
3 1984; Gelder and Marks, 1969; Greenson, 1964; Pauly,
4 1965; and SAMHSA, 2015).

5 Did I read that right?

6 A. You did.

7 Q. If you go to Page 3, the last two
8 paragraphs, on the right-hand side column, it
9 states: Be it therefore resolved, that consistent
10 with the APA definition of evidenced-based practice
11 (APA 2005), the APA affirms that scientific evidence
12 and clinical experience indicates that GICE put
13 individuals at significant risk of harm.

14 Be it further resolved that the APA
15 opposes GICE because such efforts put individuals at
16 significant risk of harm and encourages individuals,
17 families, health professionals, organizations to
18 avoid GICE.

19 Did I read that correctly?

20 A. You did.

21 Q. Okay. So the American Psychiatric
22 Association and the American Psychological
23 Association both oppose reparative therapy as a form
24 of treatment; is that right?

25 A. Gender identity change efforts as stated

1 in the document, which again is different than what
2 people generally equate with conversion therapy, in
3 quotes.

4 Q. And the American Psychiatric Association
5 and the American Psychological Association consider
6 gender identity change efforts to be unethical and
7 harmful; is that right?

8 A. That's what's stated in these documents.

9 Q. All right. I will apologize in advance,
10 that exhibit is large and will make navigating it a
11 little difficult. Hopefully it will take a little
12 bit longer to upload.

13 (Whereupon Exhibit 12 was
14 introduced for identification.)

15 Q. (By Mr. Gonzalez-Pagan) Showing you
16 what's been marked as Exhibit 12. It's a document
17 entitled Understanding the Well Being of LGBTQI Plus
18 Population. Is that right?

19 A. That's the title in the document that I'm
20 looking at, yes.

21 Q. It appears to have been published in 2010;
22 is that right?

23 A. It says 2020.

24 Q. Sorry. 2020.

25 A. Okay.

1 correctly. And that many of the studies that are
2 referenced here have major methodologic weaknesses
3 and the strength of the statement based upon that
4 evidence in light of the emerging evidence that is
5 coming forward, for example, in the other studies
6 that we've discussed already today --

7 Q. Well, let's --

8 A. -- this conclusion can be scrutinized.

9 Q. Let's move to the next page. The
10 highlighted statement reads: The available evidence
11 suggests that sexual orientation and gender identity
12 conversion efforts were ineffective and dangerously
13 detrimental to the health of SGD population,
14 especially for minors who are unable to give
15 informed consent.

16 Did I read that correctly?

17 A. I'll say again, you read it correctly.
18 And the meaning of that statement and context of the
19 whole paper is something that we can discuss later.

20 Q. Would you agree that it is the position of
21 the National Academies of Sciences, Engineering and
22 Medicine that conversion therapy is harmful?

23 MR. KNEPPER: Objection, form.

24 A. I don't know whether the small panel of
25 people that were included in generating this

1 consensus statement represents the entire views of
2 the entire membership of that society. I know from
3 my own experience that for the other societies that
4 I'm involved with these types of consensus
5 statements are not brought to the entire membership
6 of the organization. I can only conclude that the
7 members that were present on this panel made those
8 conclusions. I would not go as far as to say that
9 it was supported by every member or even majority or
10 even substantial number of the rest of that group.

11 Q. (By Mr. Gonzalez-Pagan) If you go to the
12 fourth page of the PDF.

13 A. Back up to the top now? Okay.

14 Q. On the last sentence, the second clause,
15 it states: It represents the position of the
16 National Academies on the statement of facts; is
17 that right?

18 A. That is what is stated here, and that is
19 also stated by other organizations that have put
20 forward similar statements. The same concern
21 applies, that just because they put it forward, it
22 does not mean that -- that the entire membership has
23 been able to weigh into this question or those that
24 wish to do so.

25 Q. Was the review that you referenced in

1 United Kingdom they provide coverage and treatment
2 of cross-sex hormones and surgery as a modality of
3 treatment for gender dysphoria?

4 A. Yes, I do.

5 Q. Okay. Same question with regards to
6 Sweden?

7 A. Sweden -- again, I'm a pediatric
8 endocrinologist. And I think that the caution that
9 is put forward in relegating this care to the
10 setting of -- of an experimental setting is where
11 it's been pulled back with concerns based upon
12 the --

13 Q. The restrictions to which you speak all
14 relate to the provision of puberty blockers; is that
15 right?

16 A. No. I think it's more extensive than
17 that. But it -- it acknowledges that based upon the
18 literature that there's not very strong evidence and
19 then instructs that this care be delivered with the
20 safeguards exactly as I'm saying, you know, it
21 should be done here in the United States.

22 Recognizing that this is --

23 Q. That's in the context of minors, though;
24 is that right?

25 MR. KNEPPER: Objection, form.

1 A. Again, that's what I've addressed in my
2 declaration. And that is my --

3 Q. (By Mr. Gonzalez-Pagan) But with regards
4 to transgender adults in Sweden, does the
5 nationalized healthcare system in Sweden provide
6 coverage and treatment for gender dysphoria in the
7 form of hormones and surgical care?

8 A. You know, I would say this is outside the
9 scope if we're getting into a discussion about
10 insurance coverage. My expertise is in looking at
11 the scientific data about the affirmation and
12 other --

13 Q. Well, you rely on the national reviews of
14 Sweden, Finland, and the United Kingdom. So --

15 A. Correct.

16 Q. -- I'm wondering if you rely on the
17 national reviews, I think it's pertinent and
18 relevant whether you disclose in your report that
19 these countries provide for the treatment and
20 coverage of this care?

21 MR. KNEPPER: Objection, form, scope.

22 A. As a pediatric endocrinologist and
23 physician scientist, my service to this court is not
24 to opine upon -- I know it's a big part about this
25 case about insurance coverage. My role in this

1 gender-affirming treatment for adults?

2 A. Again, I would have to say for me to
3 comment specifically about that, we would need to
4 have the document in front of me to be able to look
5 through all of the papers. It was a very extensive
6 study. And there are a number of papers there.

7 And so I would have to look through
8 the papers to specifically look at the inclusion
9 criteria, whether it was exclusively in kids or
10 included adults and, again, how he defined, you
11 know, adulthood, whether it's post prepubertal, post
12 18, early 20s. You know, many people have different
13 definitions of that. And so --

14 Q. All right. Same line of questioning with
15 regards to Finland. Did you disclose that Finland
16 provides through its national -- nationalized health
17 care system gender-affirming treatment for gender
18 dysphoria for adults?

19 MR. KNEPPER: Objection, form, scope.

20 A. I'm going to state again that for me to
21 opine on that, I would need to look at, in those
22 studies, what the inclusion -- inclusion criteria
23 and whether it extended into adulthood.

24 Q. (By Mr. Gonzalez-Pagan) My -- my -- my
25 question is not pertinent to the report. It's not a

1 question of whether they reviewed it. It's a
2 question whether that care is provided in Finland.

3 MR. KNEPPER: Objection, form.

4 A. I will say again that this is a question
5 related to insurance coverage. And I'm a pediatric
6 endocrinologist, physician scientist opining on
7 issues of science, not on medical coverage.

8 Q. (By Mr. Gonzalez-Pagan) One moment,
9 please. Let's take a -- well, actually no. We'll
10 come back. In your report you disclose the Bell v.
11 Tavistock position; is that right?

12 A. That's correct.

13 Q. That was a decision from December 2020 in
14 the United Kingdom?

15 A. Correct. And it was before the appeals
16 court decision came out recently.

17 Q. And you submitted an expert report in
18 Tavistock; is that right?

19 A. In that Bell versus Tavistock case, I did.

20 Q. Are you aware that the Bell v. Tavistock
21 case dealt solely with the ability of a minor to
22 provide informed consent on their own?

23 MR. KNEPPER: Objection to form.

24 A. So the decision was based on that. But
25 that was not what I was opined [sic] to comment on.

1 there's no indication here that this was a
2 peer-reviewed document. It wasn't published in a
3 journal in the typical way that we do it. So it's a
4 Council for Choices -- recommendations of the
5 Council for Choices in Healthcare in Finland. So
6 this is -- the council itself came to this
7 conclusion to answer your question.

8 Q. Let's go back to Exhibit 12.

9 A. I'm there.

10 Q. All right. We're going to go to
11 Page 12-10. It is Page 311 of the PDF.

12 A. I wish there was a way you could just type
13 in the number and get to it.

14 Q. Don't we all.

15 A. Okay. This is with the section that's
16 titled Guidelines and Policies Related to
17 Gender-Affirmation?

18 Q. That's right.

19 A. Very good.

20 Q. The highlighted statement states:
21 Clinicians who provide gender-affirming psychosocial
22 and medical services in the United States are
23 informed by expert evidence-based guidelines. In
24 2012, the World Professional Association for
25 Transgender Health, WPATH, published Version 7 of

1 the Standards of Care for the Health of Transgender,
2 Transsexual, and Gender-Nonconforming People, which
3 have been continuously maintained since 1979, and
4 revisions for Version 8 are currently underway
5 (Coleman, et al., 2012). Two newer guidelines have
6 also published -- have also been published by the
7 Endocrine Society (Hembree, et al., 2017), and the
8 Center of Excellence for Transgender Health (UCSF
9 Transgender Care, 2016). Each set of guidelines is
10 informed by the best available data and is intended
11 to be flexible and holistic in application to
12 individual people. All of the guidelines recommend
13 psychosocial support in tandem with physical
14 interventions and suggest timing interventions to
15 optimize an individual's ability to give informed
16 consent. Mental and physical health problems need
17 not be resolved before a person can begin a process
18 of medical gender-affirmation, but they should be
19 managed sufficiently such that they do not interfere
20 with treatment.

21 Did I read that correctly?

22 A. You indeed read that correctly.

23 Q. Okay. This is a consensus study report by
24 the National Academies of Sciences, Engineering and
25 Medicine of the United States; is that right?

1 record. This is Media Unit No. 5. The time is
2 4:05 Eastern time.

3 Q. (By Mr. Gonzalez-Pagan) Dr. Hruz, one of
4 the critiques in your report is that puberty
5 blockers have not been approved by the FDA as a
6 treatment for gender dysphoria; is that right?

7 A. That is correct. Although it's important
8 to understand why that is a relevant piece of
9 information.

10 Q. Well, let's go to page 50 of your report.

11 A. I'm there.

12 Q. Okay. On the -- there's a number of
13 statements that you bold and italicize, but on the
14 third -- the sentence involving the third bold and
15 italics.

16 A. Okay.

17 Q. It's like in the middle of the page. It
18 states: The off-label prescription of this drug is
19 legal but unethical outside the setting of a
20 carefully controlled and supervised clinical trial.

21 Did I read that correctly?

22 A. You did.

23 Q. And why is that?

24 A. So, again, this relates to the statements
25 that are made that these drugs are known to be safe

1 in this patient population. And we really don't
2 have the scientific evidence to make that statement.
3 Because it's unknown what the -- some of the effects
4 are known, but many of the effects are unknown, to
5 be able to expose people to this intervention, not
6 only to expose them to that, but to make the
7 statement that it is known to be safe with that
8 absence of evidence, it really finds itself outside
9 of what I'd consider ethical.

10 Q. Just for clarify, what do you understand
11 "off-label" use to mean?

12 A. Oh, it's actually very common in the area
13 of pediatrics. It's to prescribe a medication for
14 something that it has not been FDA approved. So it
15 could be for another -- a drug that's approved for
16 one purpose and using it for another purpose. Most
17 often that's how it's used.

18 Q. Have you personally ever prescribed any
19 drugs on an off-label basis?

20 A. Very frequently do.

21 Q. Do you do so even in the absence of
22 randomized clinical control trials?

23 A. Usually when I prescribe them off-label,
24 there are randomized controlled trials in different
25 populations that I turn to. I look at the relative

1 risk and -- but I don't make the statement that we
2 know with definity [sic] about the safety of a
3 medication in a way that we don't have that
4 information.

5 Q. And you said usually. So there are times
6 when you prescribe off-label drugs even in the
7 absence of clinical controlled randomized trials?

8 MR. KNEPPER: Objection, form.

9 A. Usually when I'm prescribing it, what we
10 would consider off-label most often, it is for a
11 condition that is not markedly different for the use
12 that it is being given only that it had been
13 approved most often for adults rather than children.

14 Q. (By Mr. Gonzalez-Pagan) And clinical
15 control trials are actually relatively rare in the
16 pediatric population?

17 A. No. I would say that -- I mean, that's
18 the standard that's accepted especially for
19 medication use. The reason why they're not done in
20 pediatrics is that usually there's a substantial
21 cost associated with that. People are looking at
22 market share and, you know, how much it's going to
23 cost to be able to study that drug in that patient
24 population. Yet it's already been studied in a
25 randomized control trial in a similar population

1 without the same caveats that we consider when we
2 look at this question of pubertal blockade.

3 Q. What is the FDA?

4 A. The Food and Drug Administration.

5 Q. Does the FDA regulate prescription drugs?

6 A. Yes.

7 Q. What is the FDA's decision with regards to
8 a prescription of off-label use of drugs?

9 MR. KNEPPER: Objection, form, scope.

10 A. You know, I don't know that they have a
11 statement that there is an ethical responsibility
12 that all physicians who are prescribing off-label.
13 It also applies both to the prescribing physician
14 and it also applies to the pharmaceutical company
15 that's making the medication.

16 If it's off-label, they cannot market
17 it to a group of people that it wasn't approved for.
18 Physicians that prescribe off-label medications
19 accept the responsibility, you know, for the risks
20 and benefits. And they're obligated to inform their
21 patients of the evidence that they have, where it
22 comes from, and the basis for recommending that
23 medication.

24 That's true for all medications, but
25 certainly when you're using it off-label, you know,

1 it involves consideration of the indication, how
2 applicable the randomized control studies that have
3 been done to approve the drug are applicable to the
4 population that you're going to use it for.

5 (Whereupon Exhibit 14 was
6 introduced for identification.)

7 Q. (By Mr. Gonzalez-Pagan) Showing you what's
8 been marked as Exhibit 14. Do you have that in
9 front of you?

10 A. I do.

11 Q. This appears to be a notice by the Food
12 and Drug Administration in the Federal Register
13 dated November 18, 1994, pertaining to a citizen
14 petition regarding the Food and Drug
15 Administration's policy on promotion of unapproved
16 uses of approved drugs and devices, request for
17 comments.

18 A. I see that.

19 Q. Did I -- did I describe the document
20 correctly?

21 A. I've not read the entire document. But
22 that section that you read was read correctly.

23 Q. Okay. Going on to the second page. It's
24 a highlighted portion. I will represent any
25 highlights in the document were done by me. And

1 there are no other alterations to the document.

2 The highlighted portion reads: Over
3 a decade ago, the FDA Drug Bulletin informed the
4 medical community that once a drug product has been
5 approved for marketing, a physician may prescribe it
6 for uses or in treatment regimens of patient
7 populations that are not included in approved
8 labeling.

9 The publication further stated
10 unapproved, or more precisely unlabeled uses may be
11 appropriate and rational in certain circumstances
12 and may, in fact, reflect approaches to the drug
13 therapy that have been extensively reported in
14 medical literature. Valid new uses of drugs already
15 on the market are often first discovered through
16 serendipitous observations and therapeutic
17 innovations, subsequently confirmed by well-planned
18 and executed clinical investigations.

19 Did I read that correctly?

20 A. You did, indeed.

21 Q. Your report doesn't acknowledge that the
22 long-standing position of the FDA has -- with
23 regards to off-label use of drugs?

24 MR. KNEPPER: Objection, form.

25 A. I would say that this paragraph that you

1 read does not directly apply for the reason for my
2 consideration of this use of GnRH agonist in
3 pubertal adolescence for gender dysphoria is the
4 same. And it's important to note in this paragraph,
5 it says the word "may." It doesn't guarantee that
6 it is. And it reflects the nature of the
7 application that one is providing.

8 (Whereupon Exhibit 15 was
9 introduced for identification.)

10 Q. (By Mr. Gonzalez-Pagan) Introducing what
11 has been marked as Exhibit 15. Noted below, the
12 creator of the document is a printout of a web page
13 from the Food and Drug Administration's website. It
14 is titled Understanding and Approved Use of Approved
15 Drugs Off-Label.

16 Did I read the title of this web page
17 correctly?

18 A. Yes, you did.

19 Q. Okay. Moving on to the second page,
20 there's a highlighted portion. I will stipulate for
21 the record that any highlights in this document were
22 inserted by me and that there are no other
23 alterations to the document.

24 The highlighted portion of the
25 document states: From the FDA perspective, once the

1 FDA approves a drug, healthcare providers generally
2 may prescribe the drug for an unapproved use when
3 they judge that it is medically appropriate for
4 their patient?

5 Did I read that correctly?

6 A. You indeed read it correctly.

7 Q. Before opining as to whether the use of
8 off-label puberty blockers should be considered
9 unethical, did you review the positions of the FDA
10 with regards to off-label use?

11 A. Again, I'm very, very familiar with that.
12 Maybe perhaps not these specific documents, but I --
13 this is entirely consistent with my understanding of
14 the off-label use of drugs.

15 (Whereupon Exhibit 16 was
16 introduced for identification.)

17 Q. (By Mr. Gonzalez-Pagan) Showing you what's
18 been marked as Exhibit 16. I'll represent this is a
19 guidance for institutional review board for clinical
20 investigators published by the Food and Drug
21 Administration dated January 1998. It is titled
22 Off-Label, an Investigational Use of Marketed Drugs,
23 Biologics and Medical Devices.

24 Did I represent the document
25 correctly?

1 A. You correctly read the title of this
2 document.

3 Q. There is a highlighted portion in the
4 first page of the exhibit. I'll represent that all
5 the highlights were added by me to that exhibit.
6 And there are no other alterations to the document.

7 The highlighted statement reads: If
8 physicians use a product for an indication not in
9 the approved labeling, they have the responsibility
10 to be well-informed about the product, to base its
11 use on firm scientific rationale and on sound
12 medical evidence, and to maintain records of the
13 product's use and effects. Use of the marketed
14 product in this manner when the intent is the
15 practice of medicine does not require the submission
16 of an Investigational New Drug Application,
17 Investigational Device Exception or review by an
18 Institutional Review Board.

19 Did I read that correctly?

20 A. You read that section correctly.

21 Q. Do you acknowledge this guidance of the
22 FDA in your report?

23 A. You mean the statement that I made about
24 the ethics of prescribing the medication and the
25 need does not require that, but it does not mean

1 that it's not the approach that should be done. So
2 that one -- for example, it's not malpractice and
3 one's not going to lose their license by prescribing
4 a medication off-label in this manner.

5 However, when we look at the use of
6 this -- the GnRH agonist with a reference that I
7 made to the FDA off-label use involves product use
8 that is not the same as what it is used in the
9 treatment of prepubertal children and the risks
10 require -- and because of the risks of the
11 intervention and the lack of knowledge, it's very
12 different than many of the other times that I myself
13 have used off-labeled use of medications.

14 So the statement itself is accurate.
15 It is consistent with my understanding of the FDA
16 guidelines for that. And I think my statement in my
17 declaration fully reflects the reason why it is of
18 ethical concern in this case.

19 (Whereupon Exhibit 17 was
20 introduced for identification.)

21 Q. (By Mr. Gonzalez-Pagan) Showing you what's
22 been marked as Exhibit 17. Are you familiar with
23 the American Academy of Pediatrics?

24 A. I was a member of the American Academy of
25 Pediatrics for over 20 years.

1 Q. This is a policy statement by that
2 organization titled Off-Label Use of Drugs in
3 Children; is that right?

4 A. That is the title of the statement, yes.

5 Q. I'll represent that there are highlights
6 within this document. Those highlights have been
7 added by me. And there are no other alterations in
8 the document.

9 On the abstract in the highlighted
10 portion, it states: However, off-label drug use
11 remains an important public health issue for
12 infants, children and adolescents, because an
13 overwhelming number of drugs still have no
14 information in the labeling for use in pediatrics.
15 The purpose of off-label use is to benefit the
16 individual patient. Practitioners use their
17 professional judgment to determine these uses. As
18 such, the term "off-label" does not imply an
19 improper, illegal, contraindicated or
20 investigational use. Therapeutic decision-making
21 must always rely on best available evidence, the
22 importance of the benefit for the individual
23 patient.

24 Did I read that correctly?

25 A. You read it correctly. And I would

1 comment that the very last sentence is at the heart
2 of my concern about how it's -- GnRH agonists are
3 being used in the setting of gender dysphoria.

4 Q. So is your critique that the use of GnRH
5 analogues [sic] for the treatment of gender
6 dysphoria is unethical because it's not the best
7 available evidence in your opinion?

8 A. There are many layers to the question. I
9 would say that many of the people that are
10 prescribing these drugs are not even aware of the
11 emerging evidence that is coming forward about lack
12 of efficacy and the risks of these medications.
13 They're relying on their decision based upon
14 statements made by many of the organizations that
15 you mentioned earlier that -- that are not
16 considering the relative risk-benefit analysis. And
17 so a provider, unless they've had the opportunity
18 like myself and others who have been familiar with
19 the literature, are going to be misled with the
20 assumption that this is the available evidence,
21 supports its use.

22 Q. Well --

23 A. Many of the people that are prescribing
24 these medications have not read those papers, not
25 considered those papers, not considered the poor

1 Q. (By Mr. Gonzalez-Pagan) Dr. Hruz, how did
2 you first come to be an expert in transgender
3 litigation?

4 A. Well, I think it was a recognition of my
5 knowledge of the -- of the subject area and -- that
6 I had in a number of different settings including
7 the grand rounds talks that I said previously and
8 some of the things that I've been discussing for the
9 last -- since almost ten years now.

10 Q. Do you know what the Alliance Defending
11 Freedom is?

12 A. Yes.

13 Q. Have you met with staff from the Alliance
14 Defending Freedom in order to discuss how to serve
15 as an expert in cases involving transgender issues?

16 A. My involvement was mostly to tap into my
17 knowledge and expertise in this area, to inform that
18 organization of some of the relevant issues. I've
19 never been coached on how to be an expert witness,
20 nor have I necessarily been encouraged in any way.
21 These requests have generally come from the
22 litigating lawyers, how they received my name or to
23 what extent and in what ways they became familiar
24 with my knowledge and expertise in this area is not
25 known to me.

1 Just like the other groups that I've
2 spoken to, I've been more than willing to be -- to
3 share the knowledge that I've accumulated over this
4 last decade in this area.

5 Q. Did you attend a meeting at the Alliance
6 Defending Freedom offices in Arizona in 2017?

7 A. I don't recall the exact date, but I did
8 travel to Arizona to meet with other individuals
9 that also had unique areas of expertise in the area,
10 yes.

11 Q. Just to clarify, was that one or two
12 meetings?

13 A. I think I've had two separate meetings.
14 The first was much shorter. And the second one was
15 much more of presentations with actual data.

16 Q. What was discussed in that first meeting?

17 A. Again, it was many years ago. But my
18 recollection was just to understand what was going
19 on. It was -- it was the same types of questions
20 about the care that is being proposed and offered.
21 But it was much less defined, I think, at that point
22 in time. It was more of an informal type of
23 meeting.

24 Q. Who was in attendance at that first
25 meeting?

1 A. I suspected you were going to ask me.
2 And, you know, honestly I don't remember the exact
3 composition of the people that were there. If you
4 happen to know, I can acknowledge or deny whether
5 they were there or not. But I've met literally
6 hundreds of people over the last ten years in
7 various settings. I do know that at that first
8 meeting, Allan Josephson was there. And I believe
9 that Mark Ramirez was there as well.

10 Q. Was Jeff Shafer there?

11 A. Yes. He actually at that time was working
12 for ADF.

13 Q. Was Gary McCaleb there?

14 A. Yes. And he was one of the first contacts
15 I had from that group.

16 Q. When they invited you to this meeting,
17 what was the invitation, what did they tell you it
18 was going to be about?

19 A. They had desired to convene a group of
20 people that had knowledge in this area and to be
21 able to discuss that, is my recollection at that
22 point in time.

23 Q. Was Ryan Anderson there?

24 A. He was at one of the meetings, the two
25 meetings, I'm not sure which -- which one.

1 Q. About how many people were in that first
2 meeting?

3 A. Probably about eight to ten if you include
4 Jeff Shafer and Gary McCaleb. You know, no more
5 than a dozen, probably less than that.

6 Q. And the second meeting, you indicated that
7 it involved some presentations; is that right?

8 A. That's correct.

9 Q. Was it also in Arizona?

10 A. Yes.

11 Q. Who was present at the second meeting?

12 A. Similar to the first meeting. And, again,
13 I may get mixed up, the first and second meetings.
14 There were different people that were present. I
15 know that Walt Heyer was at one of the meetings.
16 Oxy Horvath was at one of the meetings as well.
17 You'd have to give me the other names if there was
18 any. I'm drawing a blank. It was a while ago.

19 Q. Was Mark Regnerus at the second meeting?

20 THE COURT REPORTER: I'm sorry. What was
21 that name?

22 A. He was only at --

23 MR. GONZALEZ-PAGAN: Mark Regnerus,
24 R-E-G-N-E-R-U-S.

25 A. I believe he was at one of the meetings.

1 I'm not sure which one.

2 Q. (By Mr. Gonzalez-Pagan) Was Patrick
3 Lappert at one of these meetings?

4 A. He would have been likely at the second
5 meeting.

6 Q. Was Paul McHugh at any of those meetings?

7 A. No.

8 Q. Was Michelle Cortella at any of these
9 meetings?

10 A. I've encountered Michelle at a number of
11 different settings. I'm trying to think back. I
12 honestly -- I just can't remember. She may have
13 been at one of them.

14 Q. Was Quinton Van Meter at any of these
15 meetings?

16 A. I have met with him. I'm just trying to
17 think of what the circumstances and when he was
18 there. Again, you know, I've met so many people
19 over many different years in many different venues.
20 It's challenging for me to remember who was in what
21 meeting.

22 Q. Did the ADF lawyers discuss the need to
23 develop expert witnesses for litigation?

24 A. Again since it was several years ago, I'm
25 trying to remember the exact content. I think the

1 main focus was -- was understanding what was going
2 on to be able to understand from multiple different
3 perspectives. One of the most helpful outcomes for
4 myself was the opportunity to talk to the
5 transitioners. These are adults that have had the
6 experience of going through the affirmation approach
7 only to discover eight to ten years after that, that
8 it did not solve their problems.

9 It was similar to my efforts to
10 connect with parents and -- that were experiencing
11 this with their children as part of my understanding
12 of the unique circumstances facing these
13 individuals. That's what I walked away with more
14 than anything else. Whether there was discussions
15 about, you know, whether there were -- were
16 litigation going on is -- I just don't recall.

17 Q. Were you aware that the Alliance Defending
18 Freedom is a religious organization?

19 A. I think that's -- if you travel to their
20 headquarters, that's hard to miss.

21 Q. Let's go back to your report, Exhibit 1.
22 On the third page, Paragraph 7.

23 A. We're on my expert report. Okay.

24 Q. Page 3, Paragraph 7.

25 A. Thank you. I'm going to go to my clean

1 copy that I have printed out. Okay.

2 Q. Okay. It is mentioned that you also
3 spoken with parents of children experiencing gender
4 dysphoria and earlier you mentioned that you had
5 spoken with Eli Coleman; is that right?

6 A. That is correct.

7 Q. And Eli Coleman is one the authors of the
8 WPATH standards of the care; is that correct?

9 A. He's one of the lead authors, correct.

10 Q. In Paragraph 7 you state that you have met
11 individually and consulted with several pediatric
12 endocrinologists including Dr. Norman Spack, who had
13 developed and led transgender programs in the United
14 States; is that right?

15 A. That is correct.

16 Q. Who's Norman Spack?

17 A. Norman Spack was from Harvard. He was
18 actually probably the first person to introduce the
19 Dutch model of care to the United States. In the
20 latter years of his career, he became a very
21 outspoken advocate for that approach. In fact,
22 Dr. Spack was invited to Washington University very
23 early on when the question was being proposed to
24 start the gender center at Washington University.

25 Q. And you discussed the treatment of gender

1 dysphoria and transgender people with Dr. Spack?

2 A. That's correct.

3 (Whereupon Exhibit 19 was
4 introduced for identification.)

5 Q. (By Mr. Gonzalez-Pagan) Showing you what's
6 been marked as Exhibit 19.

7 A. So this is the declaration for Norm Spack
8 for the Drew Adams case, correct?

9 Q. That's correct, yes. Have you seen this
10 document before?

11 A. I've heard of it. I believe I saw that
12 during the -- my involvement in the Adams case.

13 Q. He mentions that on or about October 19,
14 2014 -- sorry. On Paragraph 8 of the declaration on
15 Page 2, he mentions that on or about October 9,
16 2014, he gave a presentation at St. Louis Children's
17 Hospital regarding the foundation of GeMS, the
18 workings of a gender management program at a
19 pediatric hospital, and in medical treatment and
20 care of gender and nonconforming and transgender
21 children and adolescents; is that right?

22 A. Other than the word "gender" is
23 misspelled, yes.

24 Q. It goes on to say on Paragraph 9 on the
25 next page that following the presentation, he met

1 privately with medical staff including
2 endocrinologists at St. Louis Children's Hospital to
3 answer their questions and share his knowledge and
4 experience.

5 He then goes on to say that he also
6 in that context met privately with you at St. Louis
7 Children's Hospital when you approached him after
8 the presentation.

9 Do you recall that?

10 A. I recall the meeting both with the
11 faculty -- I don't specifically remember the private
12 meeting afterwards. I do remember we had kind of a
13 round table. We actually sat around a circle with
14 other colleagues of mine and addressed questions.
15 But I -- it certainly would be in agreement with
16 where I was at that point in time in an
17 understanding for the proposal for care involving
18 affirmation.

19 Q. He goes on say that during his meeting
20 with you, you directly expressed that you had,
21 quote, a significant problem with the entire issue,
22 closed quote, and, quote, whole idea of transgender,
23 closed quote. He then states that you followed up
24 these comments by stating, quote, for me it is a
25 matter of my faith, closed quote.

1 Do you recall making these statements
2 to Dr. Spack?

3 A. I do not.

4 Q. Do you deny making these statements to
5 Dr. Spack?

6 A. I do not recall making those statements.
7 And it really seems to be -- I'm not sure of the
8 context of the conversation, where that came from.
9 This was a time shortly after our institution was
10 considering the adoption of the affirmative care
11 model for starting their gender center. And very
12 clearly at that point in time, I was very early in
13 investigating the literature and I remember talking
14 with my colleagues at that very same time about the
15 questions that I had about the science, about some
16 of the statements that were being made.

17 One of the questions that came up
18 related to some of the assertions about more in the
19 area of anthropology as far as a human being and
20 whether it was possible for one to change one's sex.
21 I recall that at that point in time, you know, the
22 people were just starting to make the comments like
23 in one of the other cases where Dr. Atkins would
24 make the statements gender is sex. And I certainly
25 challenged those assertions at that time.

1 So this is a period of discovery for
2 me. And for me to make a definitive statement like
3 that is not really even logical from where I was at
4 that point in time.

5 Q. Are you familiar with the St. John Paul,
6 II, Bioethics Center?

7 A. Yes.

8 Q. Is St. John Paul, II, Bioethics Center a
9 religiously affiliated institution?

10 A. I believe it is, yes.

11 Q. Did you speak at the St. John Paul, II,
12 Bioethics Center in November of 2017?

13 A. I'm not sure of the exact date. But I did
14 deliver a talk to that group.

15 Q. During that talk, did you not state about
16 being transgender that, quote, in fact, probably
17 goes back to some of the early heresies in the
18 church, closed quote?

19 MR. KNEPPER: Objection, form, scope.

20 A. You know, I'd have to see the context of
21 when that statement was made and how it was being
22 portrayed to that audience, whether it was in
23 response to a question with context that is not
24 included in your question.

25 Again, as you mentioned, this was a